

# Exhibit F

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

IN THE UNITED STATES DISTRICT COURT  
FOR THE MIDDLE DISTRICT OF ALABAMA  
NORTHERN DIVISION

JEFFERY LEE,  
Plaintiff,

Vs. CASE NO.: 2:25cv680-ECM  
JOHN Q. HAMM, et al.,  
Defendants.

\* \* \* \* \*

BENCH TRIAL  
VOLUME I

\* \* \* \* \*

BEFORE THE HONORABLE EMILY C. MARKS, UNITED STATES DISTRICT  
JUDGE, at Montgomery, Alabama, on Monday, April 27, 2026,  
commencing at 8:36 a.m.

APPEARANCES

FOR THE PLAINTIFF: Ms. Paige Hester Sharpe  
Ms. Jocelyn A. Wiesner  
Mr. Kevin Ashley Cline  
Ms. Anna Ku Thompson  
ARNOLD & PORTER KAYE SCHOLER LLP  
601 Massachusetts Avenue, NW  
Washington, DC 20001

FOR THE DEFENDANT: Ms. Lauren Ashley Simpson  
Ms. Polly Spencer Kenny  
Mr. Brenton Leigh Thompson  
Mr. Talmadge Butts  
OFFICE OF THE ATTORNEY GENERAL  
501 Washington Avenue  
Montgomery, Alabama 36104

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Proceedings reported stenographically;

transcript produced by computer

\* \* \* \* \*

EXAMINATION INDEX

RICHARD SCHWARTZSTEIN

DIRECT BY MR. CLINE	7
CROSS BY MR. BUTTS	64
REDIRECT BY MR. CLINE	124

JULIE BASTARACHE

DIRECT BY MS. SHARPE	127
CROSS BY MR. THOMPSON	157

SARAH CLIFTON

DIRECT BY MS. WIESNER	192
CROSS BY MS. KENNY	210

JOHN HAMM

DIRECT BY MS. WIESNER	216
CROSS BY MS. SIMPSON	231
DIRECT BY MS. SIMPSON	235
REDIRECT BY MS. WIESNER	240
REDIRECT BY MS. SIMPSON	243

CHARLES WILLIAMS

DIRECT BY MS. WIESNER	245
CROSS BY MS. SIMPSON	258

\* \* \* \* \*

1 (The following proceedings were heard before the Honorable  
2 Emily C. Marks, United States District Judge, at  
3 Montgomery, Alabama, on Monday, April 27, 2026, commencing  
4 at 8:36 a.m.):)

5 THE COURT: I did want to check in with you before we  
6 get started at 9:00. Just as a reminder, the rule has been  
7 invoked. I don't know who your witnesses are, so you'll need to  
8 check and make sure that your witnesses are not in the courtroom  
9 during the testimony.

10 I also wanted to let you-all know, I am reversing  
11 myself on the admissibility of Defense Exhibits 11 and 13. I am  
12 going to -- to a degree. I do believe they constitute  
13 inadmissible hearsay if they're being offered for the truth of  
14 the matter asserted, but I am going to admit them for the  
15 limited purpose of showing that Dr. Antognini's opinion does  
16 have support. So it's admissible for that reason and that  
17 reason alone, unless something else comes up during the trial  
18 proceeding.

19 MS. KENNY: Your Honor, we will need to renumber those,  
20 because we renumbered after your ruling. So they won't be 11  
21 and 13 anymore.

22 THE COURT: That's fine.

23 MS. SIMPSON: Would Your Honor prefer that we just pull  
24 them from the binders now or leave them in the binders? In the  
25 defendants' binders.

1 THE COURT: I had ruled that they were not to be  
2 admitted. And actually, you-all had withdrawn one of those  
3 exhibits. I presume you withdrew the exhibit in response to my  
4 ruling.

5 MS. SIMPSON: Yes, Your Honor.

6 THE COURT: So I will give you -- obviously, you can  
7 continue with your decision to withdraw it, or you can alter  
8 your opinion about that.

9 MS. SIMPSON: I am so sorry. Because we changed the  
10 number, would you just clarify for the record which exhibits  
11 you're on right now?

12 THE COURT: Yes. Those are Dr. Nitschke's tweet and  
13 deposition.

14 MS. SIMPSON: Thank you, Your Honor.

15 THE COURT: And then I just wanted to check in with you  
16 if you had had an opportunity to confer about the pulse oximeter  
17 data and how that will affect how the trial is proceeding. Is  
18 there still the expectation that we will hear testimony today,  
19 potentially tomorrow, and then reconvene at a later date?

20 MS. SHARPE: Yes, Your Honor.

21 MS. SIMPSON: Yes.

22 THE COURT: All right. Are there any issues that have  
23 arisen since the pretrial conference that we need to discuss  
24 before we get the trial started?

25 MS. SIMPSON: We've agreed to their demonstratives,

1 Your Honor, so --

2 THE COURT: All right. Very good.

3 MS. KENNY: And we will add -- tomorrow morning we will  
4 add the two Nitschke exhibits as 58 and 59, and we will have  
5 them printed and put them in the binders before we use them  
6 tomorrow.

7 THE COURT: All right. Anything else? All right.  
8 Very good. You have 20 minutes. We'll get started.

9 (Recess from at 8:40 a.m. until 9:08 a.m., at which time the  
10 proceedings reconvened, as follows:)

11 THE COURT: Good morning. We're here for the trial in  
12 the case of Lee versus Hamm, case number 25cv680.

13 I'll take appearance for the plaintiff.

14 MS. SHARPE: Good morning, Your Honor. Paige Sharpe  
15 from Arnold & Porter on behalf of Jeffery Lee.

16 THE COURT: Good morning.

17 MS. WIESNER: Good morning, Your Honor. Jocelyn  
18 Wiesner, also from Arnold & Porter, and also on behalf of the  
19 plaintiff, Mr. Lee.

20 THE COURT: Good morning.

21 MR. CLINE: Good morning, Your Honor. Kevin Cline,  
22 also from Arnold & Porter, on behalf of Mr. Jeffery Lee.

23 THE COURT: Good morning.

24 MS. THOMPSON: And Anna Thompson, Arnold & Porter, on  
25 behalf of Plaintiff Lee.

1 THE COURT: Good morning. And good morning, Mr. Lee.

2 MR. LEE: Good morning.

3 THE COURT: And who do we have here for the defendants?

4 MS. SIMPSON: Good morning, Your Honor. Lauren Simpson  
5 for the defendants.

6 MS. KENNY: Polly Kenny for the defendants.

7 MR. THOMPSON: Brenton Thompson for the defendants.

8 MR. BUTTS: Talmadge Butts for the defendants.

9 THE COURT: Good morning. I understand we are waiving  
10 opening statements. Is that still the plan?

11 MS. SHARPE: Yes, Your Honor.

12 MS. SIMPSON: Yes, Your Honor.

13 THE COURT: All right. Then just as a reminder, the  
14 rule has been invoked, and the plaintiff will call its first  
15 witness.

16 MS. SHARPE: Thank you, Your Honor. Mr. Cline will be  
17 calling our first witness to the stand.

18 MR. CLINE: Your Honor, Mr. Lee calls Dr. Richard  
19 Schwartzstein to the stand.

20 Your Honor, as discussed at Thursday's pretrial  
21 conference, we have some witness binders with the specific  
22 exhibits that we intend to use with Dr. Schwartzstein. May I  
23 approach the bench and hand those out?

24 THE COURT: Please.

25 MR. CLINE: May I proceed, Your Honor?

1 THE COURT: Go right ahead.

2 RICHARD SCHWARTZSTEIN

3 The witness, having first been duly sworn to speak the  
4 truth, the whole truth and nothing but the truth, testified as  
5 follows:

6 DIRECT EXAMINATION

7 BY MR. CLINE:

8 Q. Good morning, Dr. Schwartzstein. Can you please state your  
9 full name for the record.

10 A. Richard M. Schwartzstein, MD.

11 Q. And what do you do for a living?

12 A. I'm an academic physician at Beth Israel Deaconess Medical  
13 Center and Harvard Medical School in Boston. I'm a pulmonary  
14 and critical care physician by specialty.

15 Q. And what does it mean to be a pulmonary and critical care  
16 physician?

17 A. As a pulmonary and critical care physician, I take care of  
18 patients with a range of lung and cardiovascular problems in  
19 particular that elicit symptoms that impair their ability to  
20 work and live. And as a critical care physician, I take care of  
21 some of the most ill patients, severely ill patients, in an  
22 intensive care unit.

23 Q. I understand, Dr. Schwartzstein, that you were the medical  
24 director of the asthma and dyspnea center at Beth Israel. What  
25 can you tell us about that?

1 A. Yes. This is a center that I started in the early nineties.  
2 At the time, I was doing research on shortness of breath. And I  
3 was also director of the pulmonary rehabilitation program where  
4 patients are sent often after hospitalizations or because of  
5 their illness and have difficulty ambulating and doing  
6 activities. And so I created this asthma and dyspnea center as  
7 a way of providing extra help for patients with asthma, which is  
8 a common respiratory problem, but for general patients who have  
9 shortness of breath as well.

10 Q. And, Doctor, we'll get into more details in a minute, but at  
11 a high level, what is dyspnea?

12 A. Dyspnea is an -- it's a sensation of difficulty with your  
13 breathing. Discomfort associated with breathing is the most  
14 generic definition that is typically used.

15 Q. And approximately how many patients with dyspnea have you  
16 seen and treated over the years?

17 A. I've been a doctor for a long time, so probably about 15 to  
18 20,000 patients with shortness of breath.

19 Q. Doctor, we're going to be talking about hypoxia and  
20 hypoxemia today. What is hypoxia and hypoxemia?

21 A. Hypoxia is a term for low oxygen levels. Hypoxemia is a  
22 very specific term for low oxygen levels in the blood. So  
23 hypoxia might be used to think about tissue in the body with a  
24 low oxygen level, whereas hypoxemia typically is used just for  
25 the blood. The emia part, it means blood.

1 Q. Doctor, are those terms sometimes used interchangeably?

2 A. They often are by people. Yes.

3 Q. And is it okay if we do that today?

4 A. Yes.

5 Q. Doctor, are those conditions, hypoxia and hypoxemia,  
6 conditions you treat as a practicing pulmonologist?

7 A. Yes, on a daily basis.

8 Q. Doctor, in addition to the treatment that you give to  
9 patients, do you also do clinical research?

10 A. That's correct. I do clinical research focused on  
11 physiology with specific attention to the physiology of dyspnea.

12 Q. And, Doctor, has your expertise in dyspnea been recognized  
13 by hospitals and other institutions around the world?

14 A. Yes. I've been invited to participate in a number of  
15 international convocations, if you will, to opine about dyspnea,  
16 to review the literature on it, and I've been asked to be a  
17 visiting professor at universities around the world, give many  
18 talks in continuing medical education programs, things like  
19 that.

20 Q. And, Doctor, just a few more questions on your background.  
21 You mentioned that Beth Israel is affiliated with Harvard  
22 Medical School; right?

23 A. That's correct. It's one of the major teaching hospitals  
24 for the medical school.

25 Q. Do you teach at Harvard?

1 A. I do. I teach in a mandatory first-year course on  
2 physiology of the cardiorespiratory system, as well as clinical  
3 students in all years of the curriculum.

4 Q. And lastly, Doctor, are you a member of any professional  
5 medical societies?

6 A. Yes. I'm a member of the American College of Physicians, a  
7 fellow of the American Thoracic Society, and a fellow of the  
8 American College of Chest Physicians.

9 Q. Now, when you say you're a member of the American College of  
10 Physicians, what is that?

11 A. There are different levels of membership. You join as a  
12 member. Depending on your credentials and things that you've  
13 accomplished over time, you can apply to be a fellow in the  
14 organization. And then with additional activities that you do,  
15 different additional credits to your record, so to speak, you  
16 can become a master.

17 MR. CLINE: Your Honor, Dr. Schwartzstein is an expert  
18 in pulmonology and critical care medicine, physiology, hypoxia,  
19 dyspnea, and air hunger, and defendants have stipulated to his  
20 qualifications.

21 THE COURT: All right. He's accepted.

22 Q. (Mr. Cline, continuing:) Doctor, we're going to be talking  
23 about a number of your opinions today. Are all of your opinions  
24 given to a reasonable degree of medical certainty?

25 A. Yes, they are.

1 Q. Now, earlier you gave a high-level definition of dyspnea as  
2 breathing discomfort. Let me ask you straight up. Doctor, is  
3 dyspnea pain?

4 A. Dyspnea has features that are similar to pain, but it is  
5 different than pain. Pain is associated with often a particular  
6 injury to a portion of the body, whether it's an internal organ  
7 or external limbs, and dyspnea is a more holistic discomfort  
8 sensation that you can't point to and say, this is where my  
9 dyspnea or shortness of breath is. So it has a very different  
10 quality to it in that sense. It is similar to pain in that it  
11 can be rated. It is similar in that it can be minor to very  
12 severe, but it is qualitatively really quite distinct from pain.

13 Q. And qualitatively, how does dyspnea compare to physical  
14 pain?

15 A. There are many descriptors for dyspnea, as there are  
16 different descriptors for different types of pain, but it gives  
17 you a sense in the most severe forms of threat to your very  
18 existence. It's a holistic discomfort, again, something that  
19 can't be localized to one part of the body.

20 And it's very difficult to distract somebody from their  
21 shortness of breath. Whereas you can often distract somebody  
22 with music or watching television or doing an activity, and  
23 people kind of forget about their pain, you can't do that with  
24 people who have significant shortness of breath.

25 Q. Doctor, I think you mentioned that physical pain can range

1 from mild to severe. Is the same true for dyspnea?

2 A. That's correct. It can be mild, and it can be incredibly  
3 intense.

4 Q. And is there a term the medical uses to describe this  
5 incredible intense level of dyspnea?

6 A. Generally the most severe forms of dyspnea are characterized  
7 by phrases that have become lumped together under the term air  
8 hunger: I need more air. I can't get a breath. I can't get  
9 air in. So a number of these phrases that, again, collectively  
10 we refer to as air hunger, and that is the most severe form of  
11 discomfort.

12 Q. Doctor, is there a way to rate the level or type of dyspnea  
13 someone is experiencing?

14 A. We tend to use what are called ordinal scales, 11 point  
15 scales from zero to ten, similar in many ways to what people do  
16 with pain. So the intensity is generally rated in that way.  
17 What is a little bit different in some of the instruments that  
18 we've developed for assessing dyspnea is that there are also  
19 qualitative aspects -- again, what does it actually feel like --  
20 which helps distinguish sometimes what the cause of the dyspnea  
21 is. So that's important.

22 And then what's really different about dyspnea compared to  
23 pain is this affective or emotional consequence. And so we've  
24 developed instruments that allow us to determine that. And  
25 there are some kinds of shortness of breath that don't evoke

1 much in the way of emotional things, whereas others make people  
2 anxious and fearful and threatened in ways that other types  
3 aren't. So those are ways for us to get a better handle on this  
4 situation.

5 Q. Doctor, are you familiar with the multidimensional dyspnea  
6 profile?

7 A. Yes. That's exactly the instrument that we developed in our  
8 laboratory to parse out these different elements. There's  
9 intensity. Is it unpleasant, what is the quality of it, does it  
10 feel like tightness, which is characteristic of asthma, for  
11 example, is it air hunger, which is much more characteristic of  
12 things like hypoxemia. So we have that qualitative aspect.

13 And then, what are the things that are evoked by it? So  
14 somebody who might have just increased effort of breathing, some  
15 patients with COPD, will talk about that. That doesn't --  
16 generally doesn't evoke a lot of these emotional kind of  
17 consequences. But air hunger can really cause this anxiety,  
18 fear, fear for your life almost, as part of it. So this is the  
19 multi dimensions that we're talking about and we've now used to  
20 assess our patients.

21 Q. And you've said we a few times. I just want to make sure.  
22 How are you personally, Dr. Schwartzstein, familiar with the  
23 multidimensional dyspnea profile?

24 A. So I and colleagues that I work with in our laboratory  
25 developed this instrument through the studies we were doing,

1 both on normal individuals whom we made short of breath in the  
2 laboratory, as well as patients. And when I was in the asthma  
3 and dyspnea center, I actually began this by literally giving  
4 people sort of questionnaires, if you will, and saying, tell us  
5 what your shortness of breath actually feels like.

6       What's interesting is historically, we don't ask that  
7 question about shortness of breath. I wasn't taught that in  
8 medical school. This wasn't a thing then. And during my  
9 fellowship, there was something called the McGill Pain  
10 Questionnaire that was developed for headaches from Canada where  
11 they said, gee, a tension headache is different than a migraine  
12 headache is different from other kinds of headaches. And that  
13 was the beginning of really thinking about how the qualities of  
14 discomfort can tell us about the actual causes of the  
15 discomfort. And with that insight, I began doing this work on  
16 trying to determine the qualities and the nature of dyspnea,  
17 which led to further and further research into this area.

18 Q. Doctor, can you just give the Court some examples of the  
19 types of phrases included in that questionnaire that you helped  
20 develop?

21 A. Again, everything from, it's hard to get a breath, to, I  
22 just can't get any air into my lungs. It doesn't go deeply into  
23 my lungs. There's a sense of not going in. I just can't get a  
24 big enough breath. I have to pay more attention to my  
25 breathing. I mean, there's a range of descriptors.

1           The questionnaire we gave them probably had about 20, and  
2 what we would tell patients is put a check mark next to each of  
3 the ones that might apply. They would say, now, look at those,  
4 and tell me the three that are most characteristic of your  
5 dyspnea. And from those three I would then ask, tell me the one  
6 that is the best descriptor.

7           And so, again, for many of these patients, particularly with  
8 the more severe dyspnea, they would land on things that we now  
9 subsume under this concept of air hunger.

10 Q. And, again, can you just give us some examples of those  
11 specific things that you say they land on when it's at the  
12 extreme end of the air hunger.

13 A. Yes. Mostly comes up to things like, I can't get enough  
14 air. It's the worst feeling I've ever had. When this happens  
15 to me, I feel threatened for my life. It's quite dramatic in  
16 some cases.

17 Q. Doctor, have you and other scientists published research  
18 papers on what patients have said about what it's like to  
19 experience severe dyspnea?

20 A. Yes, we have. Both patients who come to us in an outpatient  
21 setting, as well as patients who are admitted to the hospital.

22 Q. Let's look at a few of those articles.

23           MR. CLINE: If we could pull up Exhibit P0049.

24           Your Honor, these -- that exhibit has been preadmitted  
25 and, in fact, all the exhibits that I intend to use with

1 Dr. Schwartzstein today have been preadmitted.

2 THE COURT: All right. We have talked about  
3 preadmission, but we haven't actually gone through doing that.  
4 So do you want to go ahead and put on the record which specific  
5 exhibits you have agreement that can be preadmitted?

6 MR. CLINE: Yes, ma'am. We can do that. I believe the  
7 agreement is for all the exhibits on the plaintiff's exhibit  
8 list?

9 MS. SIMPSON: Yes, Your Honor. The agreement is for  
10 all of the plaintiff's exhibits.

11 MR. CLINE: But I'm happy to specify if you want.

12 THE COURT: That's fine. We just need to put it on the  
13 record. So all of the plaintiff's exhibits are being  
14 preadmitted?

15 MS. SIMPSON: Correct, Your Honor.

16 THE COURT: All right. They are admitted.

17 MR. CLINE: Thank you.

18 THE COURT: Go ahead.

19 Q. (Mr. Cline, continuing:) Doctor, in your binder, if you  
20 could turn to the exhibit marked P0049.

21 MR. CLINE: And Your Honor, it should be in your binder  
22 as well.

23 Q. Dr. Schwartzstein, is this a paper that you're familiar  
24 with?

25 A. Yes, it is.

1 Q. And how are you familiar with it?

2 A. This paper was done by members of my research group and  
3 members of my division that I've worked with on a number of  
4 other studies. I was not part of this in terms of getting  
5 authorships on it, but it is a group that I've worked with.

6 Q. Doctor, if we turn quickly to the last page of this study,  
7 are you the Richard Schwartzstein that the authors acknowledge  
8 there in the acknowledgment section?

9 A. Yes, I am.

10 Q. And, Doctor, what is the title of this article?

11 A. The title is Scared to Death: Dyspnea From the Hospitalized  
12 Patient's Perspective.

13 Q. And where was this published?

14 A. This was published in one of the British medical journals,  
15 related journals, called BMJ Open Respiratory Research.

16 Q. Is this research article peer reviewed?

17 A. Yes, it is.

18 Q. Can you explain what your research colleagues did in this  
19 study.

20 A. In this study they went to patients throughout the hospital.

21 And I would just note one of the authors is actually a nurse  
22 who is often involved with our group as well.

23 We went to patients throughout the hospital. They were not  
24 necessarily admitted because of breathing problems but often had  
25 multiple diseases. And we asked them if they were short of

1 breath, and we found that -- we in the colloquial way, that  
2 these were people that I have worked with -- 156 hospitalized  
3 patients completed this questionnaire, and a significant number  
4 of these individuals were experiencing dyspnea.

5 I would just note that the results from a study like this  
6 led to us mandating in our hospital that like pain, where the  
7 accrediting bodies for hospitals that nurses ask about pain on  
8 every shift, we mandated that nurses ask about dyspnea on every  
9 shift because it was going unnoticed and unappreciated in the  
10 hospital.

11 Q. And what did your research colleagues find when they  
12 surveyed patients with dyspnea?

13 A. They found that, again, patients were experiencing dyspnea,  
14 and it was unknown, frankly, to some of the caregivers at the  
15 time.

16 Q. Doctor, if you could turn with me to the second page on the  
17 left-hand column in the section titled Emotion Related to  
18 Dyspnea. What were some of the ways patients in this research  
19 study described the experience of dyspnea?

20 A. So there are a number of quotes that we put in because it  
21 was -- that they put in doing this because it gives you the real  
22 sense of the experience for the patients. Breathing discomfort  
23 gets to a point where it feels like, I can't take another  
24 breath. Feels very stressful and anxious. Another phrase,  
25 panicking. Couldn't get any air in. Excuse the language, but,

1 scared the shit out of me. Another one says, scary. Not  
2 getting the air in. Another one, scared. I thought the world  
3 was going to end. Like in a box. I'm going to die. I might  
4 not make it.

5 Q. And, Doctor, if you look at the bottom of this page and the  
6 second column, right-hand column, under metaphors for dyspnea,  
7 what were some of the metaphors patients used to describe how  
8 their dyspnea felt to them?

9 A. One patient noted, it's like an elephant on your chest in  
10 the commercial. Or another one, like I was running in a race  
11 when you had to stop suddenly and feel like you are going to  
12 collapse. Or, it feels like a boa constrictor. It felt like  
13 there was not enough air in the room.

14 Q. Doctor, are these descriptions consistent or inconsistent  
15 with what patients have told you in your research and clinical  
16 practice?

17 A. Very much consistent with what I've heard over many years.

18 Q. Doctor, if you can go back to the first page of this article  
19 near the bottom of the first column. What, if anything, do  
20 these authors write about dyspnea and suffering?

21 A. Dyspnea causes suffering and predicts substantially  
22 increased mortality. And here we go: Dyspnea -- as I just  
23 mentioned, increased mortality. That's been shown in other  
24 studies as well with dyspnea.

25 Q. Let me ask you, Doctor, as someone who has researched and

1 treated patients. Can you explain what the authors mean by  
2 dyspnea causes suffering?

3 A. So dyspnea in this -- in the phrases that I've just read  
4 from here that were given by the patients, you get that sense of  
5 this is really an incredible, tortuous experience they're going  
6 through. It is scary. It provides a sense of doom, of  
7 catastrophe almost for some of them, particularly when it occurs  
8 for them at rest. I mean, it's one thing to have experience  
9 with this when you're exercising. I can stop exercising, and  
10 maybe the experience goes away. But when I have this at rest,  
11 and there is nothing I can do about it, it is incredibly scary.  
12 It is a sense of suffering, because they're not given any  
13 treatment for it that seems to work. And so it's a really  
14 incredibly disturbing symptom for them.

15 Q. Thank you, Doctor. We can take that down.

16 Let's now take a look at Plaintiff's Exhibit 56. And that  
17 is also in your binder as well. Is this another study that you  
18 rely on for your opinions in this case?

19 A. Yes, it is.

20 Q. And what is the title of this study?

21 A. This study is titled Prevalence, Intensity, and Clinical  
22 Impact of Dyspnea in Critically Ill Patients Receiving Invasive  
23 Ventilation.

24 Q. And what journal was this study published in?

25 A. This is the American Journal of Respiratory and Critical

1 Care Medicine, which is another peer-reviewed journal.

2 Q. Anything else you can tell us about the American Journal of  
3 Respiratory and Critical Care Medicine?

4 A. This is the leading journal of the American Thoracic  
5 Society, which is the leading organization for academic  
6 respiratory and critical care physicians. Many  
7 anesthesiologists and surgical critical care people also belong  
8 to this. Many international physicians also belong to the  
9 American Thoracic Society. So it is -- I would argue it is  
10 probably the leading journal for respiratory physicians in the  
11 country.

12 Q. Doctor, if you can turn to page 922 of this article, there's  
13 a section titled Dyspnea and Patient Important Outcomes. Do you  
14 see that?

15 A. Yes.

16 Q. And in the last sentence on that page at the bottom that  
17 carries on to the next page, can you read what the authors say  
18 about air hunger?

19 A. "Air hunger is known to be the most disturbing sensation of  
20 dyspnea, characterized by its capacity to evoke anxiety, panic,  
21 frustration, and fear."

22 Q. And do the authors provide any citations in support of those  
23 statements?

24 A. Yes. Citations 29 and 30.

25 Q. Doctor, if you would just quickly look with me to reference

1 29 and 30 at the end of this article. Do you recognize those  
2 references?

3 A. Yes. They are both from members of my research group. The  
4 first one, Banzett, Pedersen, Schwartzstein, Lansing, The  
5 Affective Dimension of Laboratory Dyspnea. Air hunger is more  
6 unpleasant than work and effort. This was one of the studies in  
7 which I was engaged, showing that some of these qualitative  
8 aspects of dyspnea are worse, cause more suffering, if you will,  
9 than others do. Just having increased work of breathing, which  
10 can be bad, doesn't evoke the same kind of discomfort and fear  
11 that it does in people with air hunger.

12 The second one by Banzett, Lansing, and Binks -- again,  
13 these are people with whom I've worked -- this is an article  
14 called Air Hunger, A Primal Sensation and Primary Element of  
15 Dyspnea.

16 Q. So these authors cited your own research to support their  
17 statements about dyspnea?

18 A. Yes, that's true.

19 Q. What can you tell us from your own research about the  
20 anxiety, panic, and fear provoked by air hunger?

21 A. This goes back to this questionnaire that we use, this  
22 notion of multi dimensions to shortness of breath. Yes, there  
23 is a sensation, and yes, it has a qualitative aspect to it, but  
24 it also evokes these emotional reactions; these -- this sense of  
25 dread, of suffocation, of things that are -- of air hunger that

1 are just very horrendous for the patient and cause incredible  
2 dread on their part.

3 MR. CLINE: We can take that down. Let's look at  
4 another article. If we can put up Plaintiff's Exhibit 55.

5 Q. Doctor, is this an article that you reviewed and rely on for  
6 your opinions in this case?

7 A. Yes, it is.

8 Q. And what is this paper?

9 A. This paper is entitled Dyspnea in a Acutely Ill Mechanically  
10 Ventilated Adult Patients. It's a statement of the European  
11 Respiratory Society and the European Society for Intensive Care  
12 Medicine.

13 Q. And who are the European Respiratory Society and the  
14 European Society of Intensive Care Medicine?

15 A. European Respiratory Society is analogous to the American  
16 Thoracic Society. It's the major academic organization for  
17 academic research-oriented pulmonary people in Europe. The  
18 European Society for Intensive Care Medicine is, again, a  
19 professional organization, primarily for academic, although  
20 nonacademic people can certainly join, people who are doing  
21 intensive care medicine and, again, might involve both pulmonary  
22 people as well as surgical critical care, anesthesia critical  
23 care, emergency medicine critical care as well.

24 Q. Dr. Schwartzstein, did you have any involvement in this ERS  
25 statement?

1 A. Yes, I did.

2 Q. Is that your name among the list of coauthors there?

3 A. That's correct. Yes.

4 Q. Were there any other physicians from this country, the  
5 United States, on the committee that authored this European  
6 respiratory society consensus statement?

7 A. No. I was the only American that participated in this  
8 process.

9 Q. And, Doctor, are you familiar with the methodology of how a  
10 statement like this is put together?

11 A. Yes, I am, because we've also done two of these for the  
12 American Thoracic Society related to this sort of work. Not  
13 mechanically ventilated patients, but this notion of bringing  
14 together experts. And what we do is we review the literature on  
15 the topic that we're trying to address. We then meet together  
16 among the experts and discuss different elements and what do we  
17 learn from this and how do we think about it; how will this lead  
18 to recommendations for the greater community. And this is in a  
19 sense a service that academics provide for the greater community  
20 of doctors taking care of patients to help guide them. We then  
21 come up with recommendations as well, evidence-based, based on  
22 the best research that we have on the topic area.

23 Q. And, Doctor, what journal was this article published in?

24 A. So this was published in the European Respiratory Journal,  
25 which is the leading journal, also peer reviewed, in Europe for

1 pulmonary doctors.

2 Q. Now, Doctor, you had told us and mentioned that the title of  
3 this consensus statement is Dyspnea in Acutely Ill Mechanically  
4 Ventilated Adult Patients, an ERS/ESICM statement.

5 What is mechanical ventilation?

6 A. When a patient develops what's called acute respiratory  
7 failure, meaning that whatever their disease process is, they  
8 are about to die because they can't get enough oxygen into their  
9 blood because they're just not able to sustain themselves, we  
10 have to save them, and we do that by putting a tube, an  
11 endotracheal tube, into their mouth, down into their windpipe,  
12 and then connecting them to what's called a mechanical  
13 ventilator. So this is a device that pushes air into the lungs.  
14 We can add up to 100 percent oxygen as well to take care of any  
15 hypoxia that's going on. And we can control their breathing in  
16 a sense by taking over, sustaining them while we're trying to  
17 treat the underlying disease or problem that is causing the  
18 acute respiratory failure.

19 Q. So, Doctor, can you explain to the Court how the experience  
20 of patients on mechanical ventilation informs your opinions  
21 about inmates executed by nitrogen gas?

22 A. So one of the things that we talked about in this report is  
23 that the data has emerged that suggests that people are going to  
24 be more short of breath if you have restrained breathing. So  
25 one of the problems that we have with mechanical ventilation is

1 that data has shown if we give people too big a breath with a  
2 ventilator, given the disease in their lung, we can overstretch  
3 the gas sacs that are called alveoli. And repetitive  
4 overstretching of the gas sacs will lead to lung injury. It's  
5 called volume-induced lung injury or VILI. So we have to  
6 constrain the tidal volume. The tidal volume is the word for  
7 the size of your breath. We all take normally about 400  
8 milliliter breaths, little bit less than half a liter.

9 If we give people bigger breaths with the ventilator and  
10 they have injured lungs, we can further injure the lung. So we  
11 have to give them relatively smaller breaths. And that makes  
12 their shortness of breath worse.

13 When you are short of breath, you want to take bigger  
14 breaths. It's just the reaction of the body to try to  
15 compensate for it. And by keeping people with smaller breaths,  
16 we are making them more short of breath.

17 Q. And so how does that relate to -- how does restrained  
18 breathing relate to what's happening during a nitrogen hypoxia  
19 execution?

20 A. So based on pictures that I've seen of people who are going  
21 through this process of nitrogen asphyxiation, they are placed  
22 on a gurney and they have straps that are placed around them  
23 from their shoulders, down to their upper abdomen, and they're  
24 tight enough that people can't really sit up or move very much  
25 from there, and that they are likely to be restraining the

1 motion of the chest wall and the upper abdomen.

2       And I mention the upper abdomen in part because when your  
3 diaphragm moves, it has to push the abdominal contents out of  
4 the way. And if you actually looked at somebody breathing,  
5 their abdomen moves out. So if your abdomen and chest wall  
6 can't move out, then we are restricting the tidal volume, the  
7 size of the breath. And if somebody has an urge to breathe, air  
8 hunger, wants to get a deep breath, feels like they can't get  
9 enough air, that's all made worse by the inability to get that  
10 deeper breath.

11 Q. Now, Doctor, you used the term nitrogen asphyxiation instead  
12 of nitrogen hypoxia. Why do you use that term?

13 A. Well, the process that's used in this protocol is  
14 essentially smothering somebody. So asphyxiation I would argue  
15 is the medical term, really, for smothering someone. It's the  
16 way that physicians refer to what's happening with this type of  
17 protocol.

18 Q. Thank you, Doctor. Back to Exhibit P55, if you could turn  
19 to page 2. In the first sentence of the introduction there, can  
20 you read what you and your coauthors wrote about the experience  
21 of dyspnea.

22 A. "Lacking air, having to breathe forcefully, experiencing  
23 chest constriction, or more generally the feeling that breathing  
24 is abnormal constitute experiences that are among the worst  
25 suffering that a human being can experience."

1 Q. And what did you mean by that?

2 A. That this type of dyspnea, this air hunger that is  
3 associated with these people who are going through this really  
4 is an intense experience that is really terrible. It is worse  
5 than pain. It is the most unimaginable thing or most -- you  
6 can't imagine it. It's just a horrendous experience for people.  
7 This is what our patients are telling us, and this is what we  
8 are worried about for patients who can't tell us, which is what  
9 this paper was about, for people on mechanical ventilation.

10 Q. Well, Doctor, in fact, in the next sentence, can you explain  
11 what you and your colleagues said about dyspnea in relation to  
12 pain.

13 A. "Although it shares many similarities with pain, dyspnea can  
14 be worse than pain in that it is consistently associated with  
15 the fear of dying."

16 Q. And can you explain that a little bit more for the Court.

17 A. It evokes this sense of doom that I just can't do anything  
18 about it. I'm going to die. And again, it's distinguished  
19 from --

20 And I'll just use a personal experience. I broke my elbow  
21 three years ago in a jogging accident. I got hardware in there  
22 with a plate and six screws. And I had terrible pain  
23 afterwards, but I could distract myself from it. I wasn't  
24 worried I was going to die from that.

25 And when we've done some of these experiments on healthy

1 individuals in the laboratory, I've also been a subject for  
2 some. I tried it out on myself before we do it with our  
3 subjects. And when I've been subjected to this same kind of air  
4 hunger that we're talking about, it is totally different, and it  
5 is worse than that fractured elbow that I had. I mean, it  
6 just -- it's associated with a sense of I've got to escape this,  
7 I've got to make this better, that's just different than even  
8 really bad pain is.

9 Q. And, Doctor, is dyspnea associated in any way with physical  
10 suffering?

11 A. I mean, it is a physical suffering that they're going  
12 through. This is a part of my body, so it's physical, you know,  
13 in that sense, but it has, again, this emotional and affective  
14 response to it that just really isn't part of most pain  
15 experiences.

16 Q. Thank you, Doctor. We can put that exhibit down.

17 You mentioned earlier that you've been involved in other  
18 expert consensus documents relating to dyspnea; correct?

19 A. Yes, that's correct, for the American Thoracic Society as  
20 well. Two occasions, yes.

21 Q. Let's take a look at Exhibit P-74. Can you tell us what  
22 this is.

23 A. I'm having a problem finding it in my binder, but this is  
24 the official American Thoracic Society statement, Update on the  
25 Mechanisms, Assessment, and Management of Dyspnea.

1 Q. Doctor, if you need a second to locate it --

2 A. What was the number again for that one?

3 Q. P0074.

4 A. Okay. Got it now.

5 Q. You've got it, Doctor? Okay. And I think you said this is  
6 the -- well, can you tell us what the title of this document is  
7 again, please --

8 A. So this is an official American Thoracic Society statement.  
9 Update on the Mechanisms, Assessment, and Management of Dyspnea.

10 And I just want to explain for a moment, when it says  
11 official American Thoracic Society statement. So, yes, we have  
12 a group of experts who have, again, come together. This  
13 included nurse researchers as well as physicians and  
14 physiologists coming together. Again, although it's the  
15 American Thoracic Society, there are international people that  
16 have been involved in this as well, because they're often  
17 members of the ATS.

18 But once they've come with their conclusion, once we have  
19 written a draft of this, it then is reviewed by the leadership  
20 of the American Thoracic Society, and they have to acknowledge  
21 that it's well done; it meets all the expectations in terms of  
22 review of articles and the way this was put together with  
23 groups, again, debating various things and so on. So that's how  
24 it becomes an official statement. It's quite an elaborate  
25 process. I would say it probably took about 18 months. This is

1 not something that's done overnight. This is a really  
2 deliberative process to write something like this.

3 Q. And can you explain your personal involvement and role in  
4 the ATS consensus?

5 A. Yes. I along with Mark Parshall, who's a nurse researcher,  
6 we were the codirectors of this group.

7 Q. And is P74 the most recent consensus statement from the ATS  
8 on dyspnea?

9 A. This one is from 2012. Yes. We also did one in 1999.

10 Q. And when you say we, were you involved in that earlier  
11 statement --

12 A. I was also the co-chair -- co --

13 Q. Sorry, Doctor. Let me finish.

14 A. Oh, I'm sorry.

15 Q. For the benefit of the court reporter.

16 A. Yes.

17 Q. Let me finish my question, make it a little easier.

18 Were you also involved in that earlier consensus statement?

19 A. Yes. I and Dr. Parshall were also the co-chairs of the  
20 committee in 1999.

21 Q. Okay. And, Doctor, what journal is the American Thoracic  
22 Society consensus statement published in?

23 A. As I noted earlier, this is also the American Journal of  
24 Respiratory and Critical Care Medicine, again, the leading  
25 journal of the American Thoracic Society, also a peer-reviewed

1 journal.

2 Q. Thank you, Doctor. If you could turn to page 439 of this  
3 document.

4 A. Yes.

5 Q. In the top right corner, can you explain what table three  
6 is.

7 A. Table three is titled Descriptors For Air Hunger Commonly  
8 Chosen From Lists. And so I had mentioned early on about how we  
9 give patients these lists or questionnaires, so to speak, of  
10 phrases that other people have used at times for their shortness  
11 of breath; which one is commonly chosen by people. So these are  
12 descriptors that have been associated with this category of air  
13 hunger. And you can see, urge to breathe. Starved for air.  
14 Breaths feel too small. Again, that sense, I want to get bigger  
15 breaths than I can. Cannot get enough air. I need more air,  
16 and I'm feeling a feeling of suffocation.

17 Again, these are the phrases they use, and you can get the  
18 sense of that emotional or affective quality that's associated  
19 with it. It's not just a little bit of shortness of breath.  
20 I'm starved for air. I'm feeling like I'm suffocating.

21 Q. Doctor, as part of your research and clinical experience,  
22 have your patients described air hunger as a feeling of  
23 suffocation?

24 A. At times, yes.

25 Q. How about a feeling of being starved for air?

1 A. Yes. These are among the phrases that I have heard  
2 personally.

3 Q. How about being hungry for air?

4 A. Yes.

5 Q. Doctor, we can turn to page 436. In the left side column,  
6 top left corner, can you explain what you and your co-authors  
7 wrote in this first bullet.

8 A. "Data from investigations using three-dimensional brain  
9 imaging technology demonstrate that dyspnea activates  
10 corticolimbic structures." Again, what we're saying now is you  
11 have this sensation, and it's actually triggering very specific  
12 areas of the brain. It's being processed there in the brain.  
13 And being able to make this correlation between I feel this way  
14 and, wow, it lights up in the brain at the same time, and it's a  
15 part of the brain associated with unpleasant experiences that  
16 people have. So this is a nice way of linking what the subject  
17 is saying, what the patient is saying, with a neurological  
18 correlate that demonstrates how this is being processed  
19 neurologically.

20 Q. And what, if anything, does this data tell us about how  
21 dyspnea and pain are related?

22 A. Well, when you give someone a painful stimulus, you can also  
23 find areas of the brain, very similar areas, light up there.  
24 And we tend to then think of these things -- again, there are  
25 similarities between pain and dyspnea, although there are also

1 differences. One of the similarities is we can treat them with  
2 similar drugs, which are the opioids. That's also mentioned in  
3 this paragraph that both endogenous, which are endorphins --  
4 it's a type of endogenous opiate that's released when you're  
5 distressed, as well as exogenous medications like morphine or  
6 dilaudid that we can use to treat shortness of breath.

7 Q. Doctor, if you could turn to page 439 of the ATS document.

8 A. Yes.

9 Q. In the paragraph that starts with air hunger/unsatisfied  
10 inspiration, does the ATS discuss some of the causes or stimuli  
11 of dyspnea?

12 A. They do here. And just to read this first sentence here:  
13 "Hunger or unsatisfied inspiration" -- again, another term for  
14 air hunger, another phrase for that -- "is intensified by  
15 stimuli that increase spontaneous ventilatory drive." So we  
16 have a part of our brain that I typically call for my students  
17 the controller. The part of the brain that says, breathe this  
18 rate. Breathe with this depth of breathing; the size of your  
19 breath. So that's ventilatory drive. And the things that  
20 provoke that are things like hypoxia, hypercapnia, acidosis,  
21 building up of acid in the blood, and signals arising from  
22 exercise-related drive. So these are just some of the stimuli  
23 that we're talking here.

24 The last phrase, "Especially if ventilatory response is  
25 constrained in some way." So the brain works by saying, I want

1 to take a breath that, say, is one liter big or one-and-a-half  
2 liters big, and I don't let that happen. Well, how does the  
3 brain know that? The brain has -- we have receptors all through  
4 our body in the muscles, in the joints, in the lungs. And when  
5 they do things, when they move, they send information back to  
6 the brain saying, this is what you got. You wanted a one-liter  
7 breath? Sorry. Half a liter is all you got. And when the  
8 brain says, wait a second, I asked for this and I got back this,  
9 alarm bells go off. It's not happening the way it should be.  
10 And the alarm bell is shortness of breath. Something needs to  
11 change. Something has to happen here. Your life is under  
12 threat.

13 Q. Thank you, Doctor. Let's break some of that down.

14 First, the ATS document mentions hypercapnia. What is  
15 hypercapnia?

16 A. Hypercapnia is elevated carbon dioxide.

17 Q. Can you give us examples of when hypercapnia would cause  
18 dyspnea?

19 A. So hypercapnia tends to be associated with things where you  
20 can't get enough air in and out of the lung. Probably the most  
21 common disease that causes that is emphysema where people,  
22 because of destruction of lung tissue, are not able to get  
23 enough air in and out of areas of the lung that are receiving  
24 blood flow and so forth, and they get elevated CO2 levels.

25 But anything that reduces ventilation. The amount of air

1 going in and out of the lung per minute, we call that minute  
2 ventilation. Anything that reduces that below the level of  
3 carbon dioxide that you're producing will lead to elevated  
4 levels of carbon dioxide.

5 Q. Now, Doctor, does a person need to have elevations in carbon  
6 dioxide to feel short of breath?

7 A. No. In fact, the vast majority of patients who are short of  
8 breath have normal or low levels of carbon dioxide.

9 Q. And can you explain that a little more.

10 A. Well, there are many precipitants for shortness of breath  
11 based on which receptors in the lung and other parts of the body  
12 are being stimulated. And hypercapnia, again, is relatively  
13 uncommon. Hypoxemia, I would say, is much more common than  
14 hypercapnia is as well.

15 Q. And, Doctor, can hypoxemia alone without an elevation in  
16 carbon dioxide cause dyspnea and air hunger?

17 A. It absolutely can. It's relatively appreciated for that  
18 now. Historically people didn't really understand how that  
19 worked, and so, frankly, even, again, when I was in med school,  
20 I wasn't taught about that. But I'm telling you that now as a  
21 professor at Harvard med school, I am definitely teaching my  
22 students about this and have been for a number of years.

23 But the literature, particularly from the last 40 years,  
24 although a lot of this predates that, it just wasn't in the  
25 clinical arena, demonstrates how hypoxemia can cause ventilation

1 to go up, cause our breathing to go up, and is a strong stimulus  
2 for that.

3 Q. Doctor, you mentioned the literature. Are there studies  
4 that looked at whether hypoxemia alone, without hypercapnia, can  
5 cause air hunger?

6 A. Yes. Studies that were performed, again, by members of my  
7 research group.

8 Q. Well, let's take down the ATS document and turn to  
9 Plaintiff's Exhibit 72. Tell me when you're there, Doctor.

10 A. Okay.

11 Q. Are you familiar with this study, Dr. Schwartzstein?

12 A. Yes. This is a study by Shakeeb Moosavi, who is actually a  
13 British researcher who spent time in our lab doing a  
14 postdoctoral fellowship; Dr. Binks, another British researcher  
15 with whom I've worked for a number of years; Dr. Lancing from  
16 Arizona, a Ph.D. psychologist who was a very big contributor of  
17 our work; Dr. Brown from our VA hospital, an expert physiologist  
18 and pulmonary physician; and Dr. Banzett is a Ph.D. physiologist  
19 as well with whom I've worked.

20 Q. What is the title of this study?

21 A. Hypoxic and Hypercapnic Drives to Breathe Generate  
22 Equivalent Levels of Air Hunger in Humans.

23 Q. And what journal was this study published in?

24 A. This is in the Journal of Applied Physiology, which is  
25 arguably the leading journal for physiologists in the world.

1 Q. Is it also peer reviewed?

2 A. And is also peer reviewed, yes.

3 Q. And so what were the authors studying here in this research  
4 study?

5 A. So in a well done, controlled study of healthy individuals  
6 who were made hypoxemic by giving them concentrations of gas  
7 that had low oxygen levels in it, versus making them  
8 hypercapnic, they found equivalent levels of shortness of breath  
9 in these subjects. They also found that if I restrained the  
10 size of the breath, if I didn't let them take as big a breath as  
11 they wanted, as the brain was telling them, that that further  
12 exacerbated the shortness of breath that they were experiencing.

13 Q. And, Doctor, why did your research colleagues look into this  
14 issue? In other words, what was the commonly held view about  
15 hypoxia as a cause of dyspnea at the time they studied this?

16 A. The notion for years has been that hypoxia just isn't that  
17 bad. We don't see it so much. You know, we see patients who  
18 are hypoxemic in the hospital, and they don't seem to be  
19 breathing that much or that short of breath. And it comes from  
20 a fundamental misunderstanding of the physiology of dyspnea and  
21 the way that we have evolved as human beings to do this.

22 Q. And, Doctor, did you help us create a demonstrative to help  
23 explain this point and this point about how we evolved?

24 A. Yes, I did. It's actually one that I put into my textbook  
25 on this and that I use in my teaching on a regular basis.

1 MR. CLINE: Your Honor, with your permission, I would  
2 like to use a demonstrative that depicts Plaintiff's 97. We've  
3 showed this to opposing counsel who does not object.

4 MS. SIMPSON: Correct.

5 MR. CLINE: May I approach and turn the  
6 demonstrative?

7 THE COURT: You may.

8 MR. CLINE: Your Honor, I'd also like to ask  
9 Dr. Schwartzstein to come down so he can better explain it. May  
10 we proceed?

11 THE COURT: You may.

12 Q. Dr. Schwartzstein, I think you said these figures were  
13 reproduced from your textbook. What can you tell us about your  
14 textbook?

15 A. Yes. So I authored a textbook on respiratory physiology  
16 called Clinical Physiology -- Respiratory Physiology: A  
17 Clinical Approach because I wanted to make this available to  
18 clinicians and to medical students. And this is a required  
19 textbook in my first year course as well, and it's used by,  
20 frankly, medical schools in the U.S. and internationally. And  
21 I've gotten e-mails from people internationally, Imperial  
22 College of Medicine in London, as well as people in the Middle  
23 East who are using this as well.

24 So I tried to tie the physiology with clinical medicine  
25 because the problem that we had in the past was the

1 physiologists knew about this and the clinicians didn't. So I'm  
2 trying to bring together what we know about physiology, make it  
3 available to clinicians from the first year of medical school so  
4 they can take better care of the patients and avoid some of the  
5 discomforts and things that we know are experienced by patients.

6 Q. Okay. Doctor, before I ask you to explain what we're  
7 looking at here --

8 MR. CLINE: Just for the record, Your Honor, these  
9 figures are on pages 109 and 138 of Exhibit P-79.

10 THE COURT: Thank you.

11 MS. KENNY: Your Honor, if Dr. Schwartzstein is going  
12 to be pointing to the exhibit, can we either move it or can  
13 counsel move so we can see what he's doing? Because we can't  
14 see the exhibit.

15 THE COURT: We can probably do both. If you would  
16 maybe turn it so that I can see it but that you can also all see  
17 it. If the attorneys need to move, you can feel free to do so.

18 MR. CLINE: Your Honor, you tell me when is a good  
19 angle for yourself. Is that still good?

20 THE COURT: I can still see it. That's great. And if  
21 the attorneys want to move so that you can also see how he's  
22 referring to the exhibit -- or to the demonstrative, you can.

23 A. Your Honor, there are two --

24 Q. Dr. Schwartzstein, let me ask you a question. Can you just  
25 explain what we're looking at here.

1 A. Your Honor, there are two figures here, and I'm going to  
2 start with this one on the left, then go to this one on the  
3 right, and back to the left.

4 Whenever we look at a figure, to begin with you have to look  
5 at what the axes are. So on this axis, this is the arterial  
6 partial pressure of oxygen. Now, oxygen exists in two forms in  
7 our blood. It's dissolved in the liquid part of the blood we  
8 call plasma. That term may be familiar to you. It's just  
9 mostly liquid and proteins and things like that. And it also  
10 exists on hemoglobin in our red blood cells.

11 And far and away most of the oxygen exists on our red blood  
12 cells bound to hemoglobin, but it's in equilibrium with this  
13 dissolved oxygen in the blood as well. So we refer to the  
14 partial pressure of oxygen, which tells us information about how  
15 much is on the hemoglobin. That's just what we often measure,  
16 getting a sample of blood from the radial artery. And we live  
17 around 100.

18 Now, on this axis, this is minute ventilation, liters per  
19 minute -- so, again, how much air is going in and out of your  
20 lung. We use liters in terms of the unit as opposed to gallons  
21 or something like that. So liters per minute that's going in  
22 and out. And a normal individual might be breathing five or six  
23 liters per minute, so way down here. Now, what you notice is  
24 that we live with a partial pressure of oxygen of about 100, and  
25 we're breathing about, again, five or six liters per minute.

1           The oxygen saturation, which is a common measure of the  
2 pulse oximeters that you may have seen during COVID and so on,  
3 where they put this thing on your finger and it tells you what  
4 the saturation is, that's looking at the hemoglobin.

5           So if I have a hundred binding sites on my hemoglobin that  
6 can pick up a molecule of oxygen, and we say you're 98 percent  
7 saturated, that means 98 out of a hundred of those binding sites  
8 have an oxygen molecule. That's really good. That means the  
9 content of oxygen in your blood is high. It's going to be  
10 delivered to your vital organs so that everything works well.

11           So at a PO<sub>2</sub> of 100, partial pressure of 100, you'll be 100  
12 percent saturated. All the binding sites will be fine. And if  
13 you go down to 60 -- so that's a pretty significant drop, 100 to  
14 60 -- actually, ventilation doesn't change, but the O<sub>2</sub>  
15 saturation doesn't change very much at all either, and that's  
16 what I'm going to show over here.

17           So this now is oxygen saturation. Again, how many of those  
18 binding sites? What percent of those binding sites in  
19 hemoglobin have oxygen on them? Again, that's where most of the  
20 oxygen is in your blood. It's on hemoglobin.

21           Well, at 100, PO<sub>2</sub> of 100, you know, it's right up there  
22 near -- almost all the sites are bound with oxygen. And look  
23 what happens. As I go from 100 to 60, it doesn't change very  
24 much.

25           Well, why have we evolved that way? I mean, I can be --

1 drop this oxygen level from 100 to 60, and my hemoglobin is  
2 still mostly bound, 90 plus percent bound with oxygen.

3 And think about a time before medicine, you know,  
4 prehistoric times, and you've got this person, and they get  
5 pneumonia. Well, if they had a linear relationship like they  
6 have here in this area, they would be hypoxic and they would  
7 die.

8 So we evolved in this way because this allows us to have  
9 problems with our lungs, mild to moderate problems with our  
10 lungs, and still have sufficient --

11 MR. BUTTS: Objection, Your Honor.

12 THE COURT: What's the objection?

13 MR. BUTTS: Narrative testimony.

14 THE COURT: You need to ask a question.

15 Q. Dr. Schwartzstein, let me ask. What does the solid line --  
16 well, strike that.

17 Why does the solid red line at the bottom stay relatively  
18 flat or straight from 100 partial pressure of oxygen to 60, but  
19 then suddenly shoots up after 60? Can you explain that?

20 A. So this is relatively flat, as I noted before, because why  
21 spend energy breathing more when I'm already still 90 percent  
22 saturated? It doesn't make sense. It wouldn't be something  
23 that would favor evolutionary development. It's wasted energy.

24 But now when I get below 60, and I'm dropping my oxygen  
25 saturation, and I'm at risk now of organ damage because I don't

1 have enough oxygen in the blood, suddenly now ventilation takes  
2 off and becomes almost a vertical line here. So this now goes  
3 from my five or six liters a minute up to 50 to 60 liters a  
4 minute, just going down to partial pressures of about 35 to 40.  
5 So now I'm desperate to try to do something to correct the  
6 hypoxemia. This is a very strong stimulus to breathing.

7 Q. Doctor, just in layman's terms, what is ventilation? What  
8 does that mean?

9 A. Ventilation is the amount of gas, amount of air going in and  
10 out of my lungs. How many liters per minute, every breath. So  
11 we measure ventilation, calculate it by our tidal volume, the  
12 size of a breath, and the rate at which we're breathing, how  
13 many breaths per minute. So the multiplication of size of  
14 breath and how many breaths gives me how many liters per minute  
15 are going in and out of the lungs.

16 Q. So, Doctor, how does this figure relate to air hunger and  
17 hypoxemia?

18 A. So, again, the notion, you know, before people clinically  
19 were thinking about this was that hypoxemia is not much of a  
20 stimulus, but the reality is it's a very strong stimulus. And  
21 for this case, with thinking about nitrogen asphyxiation, we're  
22 operating below PO<sub>2</sub> of 60, and this is an incredibly strong  
23 stimulus for ventilation.

24 Q. Thank you, Doctor.

25 MR. CLINE: Your Honor, may Dr. Schwartzstein return to

1 his seat?

2 THE COURT: Yes.

3 Q. Doctor, I want to turn back to Plaintiff's Exhibit 72, the  
4 Moosavi study that we were looking at before you came down and  
5 talked to us about the demonstrative. Can you tell us, what did  
6 this study show? What did these authors conclude?

7 A. The conclusion of this study was that hypoxemia with normal  
8 CO2 levels, or even on the low side, produces air hunger and  
9 produces symptoms quite similar to what people have with  
10 elevated carbon dioxide levels as well. And again, they did  
11 this model of constraining ventilation, which exacerbated the  
12 shortness of breath.

13 Q. Doctor, based on the Moosavi study and your own research and  
14 experience studying dyspnea over the years, do you have an  
15 opinion on whether hypoxia in the absence of carbon dioxide  
16 elevation causes air hunger?

17 A. Yes. This study in particular, figure one I think really  
18 demonstrates that hypoxemia definitely causes air hunger in a  
19 way that's quite similar to what we see with elevated CO2.

20 Q. And, Doctor, based on this study and your own clinical and  
21 research experience, do you have an opinion on whether hypoxia  
22 in the absence of carbon dioxide elevation can cause suffering?

23 A. Yes. This is a very definitive study in that regard.

24 Q. Doctor, I want to shift gears. Can patients experience  
25 dyspnea and dyspnea-related suffering even when not conscious?

1 A. Yes. I believe that the studies that are emerging now  
2 really give us that conclusion, that people can have dyspnea  
3 even when they're not awake.

4 MR. CLINE: If we can pull back up Plaintiff's Exhibit  
5 55, which we looked at earlier this morning.

6 Q. Let me know when you find that in your binder.

7 A. Yes, I have that up now.

8 Q. This is the ERS consensus statement that you co-authored;  
9 correct?

10 A. That's correct.

11 Q. And if you turn to page 3 of this consensus statement, in  
12 the second paragraph, the paragraph right before you get to  
13 table one, you and your coauthors refer to signs of respiratory  
14 distress that may indicate the presence of dyspnea. Can you  
15 explain what that refers to.

16 A. The term sign in medicine is something that we observe. So  
17 it's some action or visible element of the patient that we're  
18 observing that gives us information about underlying symptoms  
19 that they're having or pathophysiologic processes occurring in  
20 the patient.

21 Q. And, Doctor, further down in the next sentence, what did you  
22 and your co-authors mean when you write, "The inability to  
23 verbally or physically report a symptom does not mean that its  
24 source is not present and does not cause suffering, as clearly  
25 acknowledged about pain"?

1 A. So, again, we look at a patient who is demonstrating various  
2 things to us that are equivalent, that we notice when we have  
3 patients who can communicate to us, that is associated, for  
4 example, with shortness of breath or air hunger. So when we see  
5 this in a patient who cannot communicate, we are inferring that  
6 they're experiencing the same suffering that a patient who could  
7 tell us about it is experiencing.

8 Q. And, Doctor, in the middle of table one there's a term,  
9 respiratory-related brain suffering. What is  
10 respiratory-related brain suffering?

11 A. Well, again, as they define it -- and, again, this was a  
12 group consensus -- it's an ensemble of brain responses to  
13 abnormal or abnormally interpreted respiratory related messages.  
14 So in other words, I'm short of breath. I'm getting this  
15 information back from all these receptors in the body that we're  
16 referring to. And even though the patient is not awake and  
17 can't communicate, the brain is processing this. The brain is  
18 experiencing suffering. Our brain -- it's what we all  
19 experience, even though the individuals cannot communicate that  
20 to a physician.

21 Q. And can you explain what you and your co-authors meant in  
22 the third bullet there under respiratory-related brain  
23 suffering.

24 A. So dyspnea is disassociated from clinical radiologic or  
25 physiologic abnormalities. As a result, dyspnea should be the

1 primary guide of clinical management when it can be evaluated.

2 So what that means is I could look --

3 Q. Just hold that thought for a second. I was asking you about  
4 the third bullet in that shaded box that starts, "A clinical  
5 appearance."

6 A. Oh, I'm sorry.

7 Q. That's okay. What did you and your co-authors mean when you  
8 wrote that sentence?

9 A. So an ensemble of brain responses to abnormal --  
10 abnormally -- are you looking at the first bullet in that --

11 Q. No, the third bullet --

12 A. Yes.

13 Q. -- under respiratory-related brain suffering. Starts with  
14 "A clinical appearance."

15 A. "A clinical appearance of unconsciousness cannot exclude  
16 respiratory-related brain suffering.

17 Q. Can you explain that, please.

18 A. So just because someone is unconscious -- and, again, to me  
19 what I referred to there is they're not awake, just because of  
20 colloquial ways that people think of consciousness, but if  
21 they're not awake, colloquially unconscious -- we cannot exclude  
22 respiratory-related brain suffering. You are not awake, you  
23 cannot tell me you're short of breath, but I know from other  
24 things that are going on with you that you are short of breath.

25 Q. Do you and your colleagues discuss in this consensus

1 statement any data that supports your opinion that  
2 respiratory-related brain suffering can occur in patients  
3 apparently unconscious?

4 A. Yes. There are studies emerging now of patients who have  
5 had acute respiratory failure. They are treated with mechanical  
6 ventilators to save their life. We have to use these small  
7 breaths for them to manage their shortness -- or manage their  
8 respiratory failure without injuring the lung. And these  
9 individuals, many of them, will subsequently -- if they survive  
10 the episode of acute respiratory failure, will have mental  
11 health problems, including post-traumatic stress disorder, which  
12 is felt to be attributable to the suffering they experienced  
13 during mechanical ventilation.

14 Q. In your clinical practice treating patients how, if at all,  
15 do you incorporate this idea of seemingly unconscious patients  
16 being able to experience and process respiratory-related  
17 suffering?

18 A. There are two major things, and I was in the ICU last week  
19 and was employing some of these even during that week. One is  
20 to ensure that a patient who is being treated with mechanical  
21 ventilation gets analgesia. We don't even have a word, frankly,  
22 because of misunderstandings about this for drugs that just  
23 relieve dyspnea, but it turns out that opiates, which relieve  
24 pain, also relieve dyspnea. So we give them analgesics.

25 Historically patients were primarily given sedation. Not

1 analgesia, but sedation. They are different. There have been  
2 studies now that show that if I give someone sedation, and they  
3 look totally fine, they look totally comfortable, and I give  
4 them -- studies were done with a painful stimulus on the arm.  
5 And they put them in an FMRI scanner to see if the brain does  
6 anything when I do this, and they're sedated, they're not  
7 showing anything, and those brain areas we refer to before light  
8 up. They are processing the pain, even though you look at the  
9 patient, and they look totally comfortable with sedation. So we  
10 ensure now that we give them analgesia in addition to the  
11 sedation. So that's one area that I've changed.

12       The second area is what I do when I talk to families of  
13 their loved one who has had respiratory failure and is placed on  
14 a ventilator. Early in my career, before I did the research and  
15 others have done research like we've been describing, I would  
16 tell the family, don't worry. We will keep your loved one  
17 comfortable. And I no longer say that. I say, we will do our  
18 best to keep them comfortable, but I cannot guarantee that they  
19 will be comfortable. I cannot guarantee that they will not  
20 suffer these kind of mental health problems afterwards as a  
21 result of being on a ventilator.

22       We have to do this because of the other data that suggests,  
23 you know -- otherwise the lung injury might kill them, but  
24 nonetheless, I have to make them aware that I'll do my best to  
25 keep the patient comfortable, but I can't guarantee it.

1 Q. Doctor, do other doctors with experience treating patients  
2 with dyspnea take a similar approach to the unawake patient?

3 A. Many of these articles we've been talking about are  
4 relatively recent. Certainly in the history of medicine,  
5 they're very recent. And many doctors who trained and don't  
6 follow this literature commonly are not aware of these things.  
7 So, yes, this is something that I teach about and write about.  
8 It's part of why I do all this, because one of my goals as a  
9 physician is to alleviate suffering. And so I view this very  
10 personally in some ways as well as professionally, that this is  
11 something that I have to do at the bedside, but I want my  
12 colleagues and I want the people I'm training to do this when  
13 they take care of patients as well.

14 Q. Thank you, Doctor. We can take Plaintiff's 55 down.

15 Doctor, I want to shift gears again. Now, you've told us  
16 about dyspnea, and you've told us today how bad it is and that  
17 patients can suffer even while unconscious. Is that what's  
18 happening in these executions by nitrogen gas?

19 A. Well, again, my phrase for it is they're not awake. But  
20 because people sometimes say consciousness means I can't  
21 appreciate anything going on, and these data show that people  
22 who are not awake, in fact, are experiencing things and have  
23 sequelae; have complications later. So I refer to it as in the  
24 unawake state, these patients still experience these things.  
25 And this is part of what the literature is telling us.

1 Q. But, Doctor, before we even get to that state, I want to put  
2 this all together now. We've talked a little bit today about  
3 dyspnea, we've talked about how bad that can be, and we've  
4 talked about your demonstrative demonstrating air hunger as  
5 hypoxemia sets in.

6 MR. BUTTS: Objection, Your Honor. Leading.

7 Q. Doctor, is that what's happening --

8 THE COURT: Why don't you rephrase your question.  
9 Sustained. Rephrase your question.

10 MR. CLINE: Fair enough.

11 Q. Doctor, can you tell us, in your opinion, what's happening  
12 in these executions by nitrogen gas?

13 A. So for me, there's almost a straight line correlation  
14 between all the physiology that I've been talking about here in  
15 terms of what we understand about the multidimensional profile,  
16 the emotional and affective responses people have to dyspnea,  
17 the physiology of the way hypoxemia works when I get below  
18 critical values, I stimulate hypoxia, the studies by Banzett, et  
19 al., and Moosavi telling us that hypoxemia alone causes  
20 shortness of breath, certainly stimulates ventilation, all of  
21 these things lead up to this notion that somebody who is  
22 asphyxiating with nitrogen inhalation is experiencing air  
23 hunger, the worst form of shortness of breath, of dyspnea, and  
24 that this is a form of intense suffering, suffocation, that  
25 people could have.

1 Q. But Doctor, what about the fact that there may not be a rise  
2 in an inmate's carbon dioxide levels?

3 A. Again, carbon dioxide -- in the literature that predates a  
4 lot of this as well as the more recent literature, it's not  
5 necessary for somebody to have shortness of breath. That was a  
6 common understanding in earlier years, but that's really been  
7 shown, I think, definitively now by the Moosavi study that you  
8 do not need CO2 with hypoxia for hypoxia to cause shortness of  
9 breath. The hypoxia alone is sufficient to cause shortness of  
10 breath equivalent to CO2 and characterized by air hunger, the  
11 most severe form of shortness of breath.

12 Q. Doctor, do you have an opinion on how long it takes for the  
13 brain to stop processing respiratory-related suffering in  
14 inmates who are executed by nitrogen asphyxiation?

15 A. It's hard to be totally certain, but I think we're probably  
16 talking at least three to five minutes for that to still be  
17 aware, even after the patient is unawake.

18 Q. And, Doctor, referring back to your demonstrative that you  
19 walked through with us, as we think about what is happening to  
20 these inmates once the nitrogen gas starts flowing, can you  
21 explain where they fall in the context of that figure?

22 A. So they're in the far left-hand part of that figure where  
23 the curve of the figure changes -- and, again, we're talking  
24 about the left-hand figure there -- when I get below 60 and  
25 suddenly that line takes off, ventilation, again, which is on

1 the Y axis, goes from five liters a minute up to 50, 60 liters a  
2 minute, depending on how far down you go on the hypoxia.

3 Now, they go down to zero ultimately in the protocol, so  
4 that -- it's not even shown there because nobody has ever  
5 probably taken them down that level in the study, but the  
6 ventilation could be 70, 80 liters a minute, perhaps, if they  
7 could actually manage that physically.

8 Q. Doctor, is there anything about the way these nitrogen  
9 asphyxiation executions are carried out that exacerbates the  
10 sensations provoked by air hunger?

11 A. So I've been referring periodically to this notion of  
12 constraining or restraining chest wall motion, upper abdominal  
13 motion. Again, when the brain says, I want a breath of this  
14 size, and I don't allow you to do that, whatever air hunger I  
15 would have had with the larger breath becomes more intense when  
16 I constrain the ventilation.

17 Q. Now, Doctor, as part of your involvement in this case, have  
18 you seen photos of the gurney and the restraints used by  
19 Alabama?

20 A. Yes, I have seen some photos.

21 Q. And if you could look in your binder -- if you look briefly  
22 at your binder, Exhibits P-100 -- we don't need to pull these  
23 up. These have been marked as highly confidential: P-100,  
24 P-101, 103, 108, and 110. Just want to confirm that you have  
25 those in front of you.

1 A. Yes.

2 Q. Are these the photos of the gurney that you've seen?

3 A. Yes.

4 Q. Doctor, in your opinion, do the restraints used in nitrogen  
5 asphyxiation executions pose a unique problem in nitrogen  
6 executions that they would not pose in other methods of  
7 execution?

8 MR. BUTTS: Objection, Your Honor.

9 THE COURT: What's your basis?

10 MR. BUTTS: Speculation. He has no basis to know how  
11 tight the strap he's viewing in the picture is, and there's been  
12 no foundation laid for that basis.

13 THE COURT: You can cover that on cross-examination.  
14 Overruled. Go ahead.

15 Q. Would you like me to repeat the question, Doctor, or do you  
16 have it?

17 A. I have the pictures here.

18 Q. Do you have my question? Let me reask it.

19 A. Yes. Rephrase again. Yes. Thank you.

20 Q. Based on your review of the pictures and your understanding  
21 of constraining breathing, do the restraints used in nitrogen  
22 asphyxiation executions pose a unique problem in nitrogen  
23 executions that they would not pose in other methods?

24 A. Yes. It's my understanding that these are placed in order  
25 to keep the patients supine or lying flat on the gurney, and

1 that the inverted triangle over the chest would restrict  
2 anterior/posterior motion of the thorax, which would restrain  
3 tidal volume. And possibly the bottom level of that with the  
4 two other cords coming from the sides just above the umbilicus  
5 might restrain the abdominal expansion. So, again, when your  
6 diaphragm moves down, your abdomen has to move up. Obese  
7 patients, as an example, compress their abdomen as part of the  
8 shortness of breath that patients with central obesity have, and  
9 this looks like that might well mimic that by restraining the  
10 abdominal motion.

11 Q. Doctor, a condemned inmate facing execution by any method is  
12 going to experience some fear and anxiety. Can we agree on  
13 that?

14 A. Yes.

15 Q. Is the primal fear and panic that you've described as  
16 associated with air hunger, is that any different from that  
17 generalized fear that an inmate might have facing execution?

18 THE COURT: What's the basis of your objection?

19 MR. BUTTS: Leading.

20 THE COURT: Overruled. You can continue.

21 A. We all have anticipatory anxiety of something challenging  
22 ahead of us, something bad might happen. We have that kind of  
23 anxiety. The anxiety we talk about in the multidimensional  
24 dyspnea profile is different. It's anxiety evoked by the  
25 underlying problem, the shortness of breath, the air hunger that

1 they have.

2       And I know that this is different because if you're anxious  
3 about something you're going to do tomorrow, I can give you  
4 benzodiazepines, Valium-type drugs, Ativan. That reduces  
5 anxiety that we all sort of experience in anticipating something  
6 bad. Benzodiazepines have been studied extensively as a  
7 treatment for dyspnea. They do not work. They do not work.

8       So to the extent that anxiety is described as an associated  
9 part of what's evoked by the shortness of breath in the  
10 multidimensional dyspnea profile studies, this is a different  
11 anxiety, I believe, than what is experienced by all of us at  
12 times when we anticipate something that might be challenging or  
13 difficult for us. So it's based on those kinds of studies that  
14 I feel this is a very characteristic -- specific characteristic  
15 of the dyspnea itself, not the traditional anxiety that we have  
16 on a day-to-day basis potentially.

17 Q. Doctor, before we go any further, I wanted to ask, do you  
18 have a personal view one way or the other about the death  
19 penalty generally?

20 A. I have mixed feelings about the death penalty. On the one  
21 hand, as good as our justice system is, we sometimes make  
22 mistakes. And if someone's been executed, there's no way to  
23 rectify that if it turns out they were not guilty of whatever  
24 they were charged with and convicted of. On the other hand, I  
25 know that people who are sentenced to life in prison without

1 chance of parole, at least by some things I've read, can be very  
2 challenging for the correctional system to deal with. So I,  
3 frankly, don't have a specific opinion about the death penalty.  
4 I continue to go back and forth in terms of these different  
5 elements.

6 Q. Doctor, to what extent does your mixed feelings about the  
7 death penalty in general impact your opinions about using  
8 nitrogen gas as a form of execution?

9 A. It really hasn't been relevant at all. As I alluded to  
10 earlier, as a physician whose job it is to alleviate suffering,  
11 I feel -- and all this research and clinical work I've done on  
12 dyspnea, I think this is an incredibly significant issue, not  
13 well appreciated by many physicians. And it is part of my job I  
14 believe as a physician in order to alleviate suffering to make  
15 this better known, and my participation in this was really  
16 largely geared around that construct.

17 Q. Doctor, I want to switch gears again. There have been  
18 studies published in the literature on aviation pilots that  
19 suggests hypoxia results in rapid loss of consciousness without  
20 any suffering. How do you square those studies in pilots with  
21 your opinions about execution by nitrogen asphyxiation?

22 A. So in those studies, which are usually done at high altitude  
23 or pressure chambers that mimic that, the pressure in the  
24 alveoli, those gas sacs, is actually less than the pressure in  
25 the blood -- of the oxygen in the blood. So the oxygen isn't

1 just being consumed by the metabolism of the body to cause  
2 hypoxemia. It's actually leaving the blood as it perfuses or  
3 goes past the alveoli, the air sacs, moving in the opposite  
4 direction to what it normally does. So even faster  
5 development of hypoxia than you would have. And again, these  
6 are pilots, well-trained individuals, young, healthy otherwise.  
7 So correlating that with some of the things that might happen to  
8 patients, of course, is different, and depending on the  
9 individual convict, might be different as well.

10 But the main thing is that these are -- it's a system --  
11 low-pressure scenario where oxygen is actually leaving the blood  
12 at the level of the air sac, which is different than what we're  
13 really talking about here.

14 Q. Doctor, there have also been published case reports  
15 describing helium and nitrogen gas suicides that suggests  
16 consciousness is, again, rapidly lost. How do you square those  
17 suicide reports with your opinions about nitrogen executions?

18 A. Suicide is a challenging issue, certainly. Helium as a gas  
19 is a lower density than oxygen and nitrogen. Its flow  
20 characteristics are different. There's less turbulence in the  
21 airways at all the branch points. How that might affect gas  
22 mixing and other things, you know, is speculative to some  
23 degree. I'm not sure of any studies of that. But we know we  
24 use helium and oxygen together for people with airway  
25 obstruction because of its characteristics as a low-density gas

1 that doesn't create turbulent flow. So helium is inherently  
2 different from oxygen and nitrogen in that way or from nitrogen  
3 in that way specifically.

4 And then people who want to die, these are usually  
5 chronically ill patients who have been suffering from their  
6 chronic illness and they want to die. In my experience as a  
7 clinician over decades of practicing, when I have a patient who  
8 tells me they want to die, it scares me incredibly because it's  
9 like no matter what I do, they will die. It is some kind of  
10 interaction between the brain and the body that I can't  
11 characterize more specifically in terms of physiology. I've  
12 never seen anything on it. But people who have made up their  
13 mind that they're going to die, they will die. And so I think  
14 that some of these stories about assisted suicide are a little  
15 bit different than what we're talking about with somebody who's  
16 not intent on dying.

17 Q. Finally, Dr. Schwartzstein, there are OSHA occupational and  
18 safety incident reports relating to workplace fatalities due to  
19 nitrogen exposure. How do you square those reports with your  
20 opinions about nitrogen asphyxiation executions?

21 A. So the reports that I've seen on this, no one has observed  
22 what's going on. Someone connected themselves to the wrong gas  
23 line, and we don't know, really, what happened to them. We  
24 don't know at what point their thinking was clouded in some way  
25 so that they couldn't get themselves out of this situation or

1 take their mask off or whatever.

2 So they're interesting reports. It's unfortunate, of  
3 course, that these things happen. I'm not sure that it really  
4 informs me at all about comparing this, say, to a nitrogen  
5 asphyxiation scenario.

6 Q. Doctor, is your opinion on nitrogen asphyxiation executions  
7 that you've provided today one that you formed before or after  
8 you agreed to be an expert in this case?

9 A. Well, I agreed to co-author a paper about this before I was  
10 ever approached about participating in this proceeding.

11 Q. And can we -- what can you tell us about that paper?

12 A. So this was a paper put together largely by European  
13 physiologists and respirologists. They knew about my work on  
14 dyspnea and asked me to contribute to the publication about this  
15 notion of basically smothering somebody as a way of executing  
16 them.

17 Q. Let's put up Exhibit P-45. That should also be in your  
18 binders.

19 A. Yes, I have that.

20 Q. Is this the article that you mentioned that you co-wrote  
21 with other colleagues?

22 A. Yes, it is.

23 Q. What's the title of this article?

24 A. The title is Breath to Death: Nitrogen Asphyxiation For  
25 Execution and Assisted Suicide.

1 Q. And where was this article published?

2 A. This was also published in the Journal of Applied  
3 Physiology, which I mentioned before is peer reviewed and  
4 probably the leading journal for physiologists in the world.

5 Q. Was your article peer reviewed?

6 A. Yes, it was.

7 Q. Doctor, if you would turn with me to the last paragraph.  
8 Can you read what you and your co-authors concluded about  
9 nitrogen asphyxiation.

10 A. The last paragraph reads, "Ultimately the use of nitrogen  
11 asphyxiation for execution or assisted suicide rests on a  
12 profound misrepresentation of human physiology. Far from being  
13 humane, painless, or peaceful, it provokes dyspnea, panic,  
14 suffering, and an unnecessarily violent death."

15 And I would note that this notion of profound  
16 misrepresentation, to me that gets back to this issue that I  
17 alluded to earlier about the misunderstanding and hence  
18 misrepresentation about what hypoxia can do, both to stimulate  
19 the drive to breathe as well as causing shortness of breath or  
20 air hunger.

21 Q. Doctor, based on your knowledge of the literature and your  
22 clinical and research experience, do you have an opinion on  
23 whether there is a substantial risk that an inmate executed by  
24 nitrogen asphyxiation will experience dyspnea, panic, and  
25 suffering?

1 A. Yes. I think that it is highly likely that individuals  
2 succumbing to this protocol will experience severe air hunger,  
3 possibly in their unawake state, but they will experience that.  
4 The brain will process that, and they will have that intense  
5 suffering, smothering feeling akin to drowning, if you will, to  
6 something that we often can relate to as individuals who are  
7 otherwise healthy before they die.

8 Q. Thank you, Dr. Schwartzstein.

9 MR. CLINE: Your Honor, I don't have any further  
10 questions at this time.

11 THE COURT: All right. Cross-examination.

12 MR. BUTTS: Your Honor, may we take a brief recess?

13 THE COURT: Yes. Certainly. It's 10:31. We will take  
14 a recess until 10:45.

15 (Recess was taken at 10:31 a.m. until 10:46 a.m., at which  
16 time the proceedings reconvened, as follows:)

17 THE COURT: Cross-examination.

18 MR. BUTTS: At this time, I do also have some prepared  
19 binders I would like to hand out to Your Honor and the witness  
20 and plaintiff's counsel.

21 THE COURT: All right. Go ahead.

22 MR. BUTTS: There are some of the already stipulated --  
23 prepared -- or preadmitted exhibits from plaintiffs as well as  
24 stipulated preadmitted exhibits from defendants. At this time,  
25 I would like to proffer that on the record that they're

1 preadmitted.

2 MR. CLINE: What are they? Can you identify which ones  
3 they are?

4 MR. BUTTS: They're numbers -- they're Defendants'  
5 Exhibits 16, 18, 19, 22, 23, 24, 30, 33 through 38, 40 through  
6 41, and 45.

7 MR. CLINE: No objection.

8 THE COURT: They're admitted.

9 MR. BUTTS: Thank you, Your Honor.

10 CROSS-EXAMINATION

11 BY MR. BUTTS:

12 Q. Good morning, Dr. Schwartzstein.

13 A. Good morning.

14 Q. So, Doctor, you stated earlier that you are one of the  
15 co-authors of the Breath to Death: Nitrogen Asphyxiation For  
16 Execution and Assisted Suicide article; correct?

17 A. Yes.

18 Q. Now, plaintiff's counsel walked through that as its own  
19 exhibit, but you actually appended that piece to your expert  
20 report in this case, correct, as Appendix C?

21 A. I believe so, yes.

22 Q. If you'll turn with me to your report, it's that first  
23 Exhibit 41 in the binder. If you'll turn to Appendix C, let's  
24 look at Breath to Death together.

25 Now, that article was submitted for publication on September

1 11th, 2025; correct?

2 A. Yes.

3 Q. And it was accepted for publication on October 22nd, 2025;  
4 correct?

5 A. Yes.

6 Q. So the Boyd execution, which is the execution that you  
7 discuss in your report, occurred on October 23rd, 2025; correct?

8 A. I don't have the exact date in front of me.

9 Q. Well, you actually do in your expert report. Let's turn  
10 here to paragraph 36 on page 11 of your report. Are you with  
11 me, Doctor?

12 A. Yes.

13 Q. And do you see there the second sentence of that paragraph?

14 A. Yes.

15 Q. Could you read that sentence aloud for me.

16 A. "According to news reports in Alabama's October 23, 2025,  
17 execution of Anthony Todd Boyd by nitrogen asphyxiation, there  
18 appeared to be a 25-minute period between the initial flow of  
19 nitrogen gas and cessation of cardiac activity."

20 Q. Thank you, Doctor. So Anthony Boyd's execution was on  
21 October 23rd, 2025, a day after Breath to Death was accepted for  
22 publication; correct?

23 A. Yes.

24 Q. So you had not been able to review the Boyd execution  
25 accounts at all prior to the acceptance, publication, and the

1 authorship of Breath to Death.

2 A. That's correct.

3 Q. And you were retained to provide expert opinions in this  
4 case in late 2025 or early 2026, thereabout?

5 A. It was early in 2026.

6 Q. And so the Breath to Death article was completed and  
7 accepted before you were retained as an expert in this case?

8 A. That's correct.

9 Q. So look with me at that article, if you will. In Breath to  
10 Death, the last sentence of the second paragraph on the right  
11 column of page 1. Do you see that, Doctor?

12 A. The sentence beginning with "We vehemently"?

13 Q. That's correct.

14 A. Yes.

15 Q. Will you read that sentence, Doctor.

16 A. "We vehemently oppose using inhaled nitrogen for these and  
17 related purposes, as stated previously, grounding our arguments  
18 in well-established physiological rationale and empirical  
19 studies as opposed to speculative emotion and unfounded  
20 beliefs."

21 Q. So that was your published position before -- excuse me.

22 Strike that.

23 You wrote that with your co-authors; correct?

24 A. Yes.

25 Q. So that was your published position before you were retained

1 as an expert in this case?

2 A. Yes.

3 Q. And the stated purpose of the article is to offer, quote,  
4 Timely and constructive collective opinion, end quote, that you  
5 hope will, quote, resonate with policy makers, physicians,  
6 bioethicists, and physiologists, end quote. Correct?

7 A. Yes.

8 Q. In other words, the primary goal of that article was to  
9 influence policy on nitrogen executions as a method; correct?

10 A. As stated there, yes.

11 Q. So turn with me, Doctor, to the -- to Defendants' Exhibit 41  
12 in your binder. This is Air Hunger and Psychological Trauma in  
13 Ventilated Patients with COVID 19: An Urgent Problem. Do you  
14 recognize this?

15 A. Yes. Did you say tab 41?

16 Q. Excuse me, Doctor. Yes. Tab 41, the last -- the second  
17 tab 41. This is the Defendants' Exhibit 41.

18 A. Yes.

19 Q. And this was published in the Annals of the American  
20 Thoracic Society 2020; correct?

21 A. That's correct.

22 Q. And you are a co-author there with Christopher Worsham and  
23 Robert Banzett?

24 A. That's correct.

25 Q. And those American Thoracic Society dyspnea consensus

1 statements that you cite, the 1999 and 2012 statements, I  
2 believe you discussed with plaintiff's counsel, you were a  
3 co-chair on those committees that produced those reports;  
4 correct?

5 A. That's correct, yes.

6 Q. And so a significant portion of that scientific support for  
7 your opinions in this case trace back to your own research and  
8 that of your fellow co-chairs and co-committees and so forth;  
9 correct?

10 A. Yes. The scientific foundations, yes.

11 Q. So, Doctor, you have expressed that you have mixed feelings  
12 about capital punishment; correct?

13 A. Yes.

14 Q. And you've said that those mixed feelings are primarily  
15 rooted in concerns about wrongful convictions, both on a  
16 systemic level and an individual innocence level; correct?

17 A. Yes.

18 Q. So you also believe that if capital punishment exists -- and  
19 I'm quoting your deposition testimony here -- quote, we should  
20 not be torturing people as part of that process, end quote. Did  
21 you say that?

22 A. Again, I don't have the -- right in front of me now, but if  
23 you're reading from the deposition, I'm assuming I said that.

24 Q. Do you agree with that statement here today?

25 A. Can you read it to me one more time?

1 Q. As part of -- as part of capital punishment, if it should  
2 exist, you would, quote -- you would agree that, quote, we  
3 should not be torturing people as part of that process, end  
4 quote.

5 A. Yes. I still believe that.

6 Q. And torture is not a medical classification or a medical  
7 diagnosis, is it, Doctor?

8 A. No, it's not a term we use in medicine.

9 Q. And you have never met Jeffery Lee, have you, Doctor?

10 A. Mr. Lee? No, I've not met him.

11 Q. And so have you ever spoken with Jeffery Lee?

12 A. No, I have not.

13 Q. Have you ever reviewed his medical records?

14 A. No, I have not.

15 Q. And at your deposition, you were not aware that Mr. Lee has  
16 testified under oath that he would not hold his breath during an  
17 execution; correct?

18 A. I am not specifically aware of that, no.

19 Q. Okay.

20 MR. BUTTS: Your Honor, I have a copy here of  
21 Dr. Schwartzstein's deposition testimony. May I approach so he  
22 can refresh his recollection?

23 THE COURT: You may.

24 Q. Doctor, if you'll turn with me here to page 164 of your  
25 deposition transcript. It is in the top right quadrant of this

1 condensed version of the testimony.

2 A. Okay. 164? Yes.

3 Q. Can you read the first question there to yourself and your  
4 answer.

5 A. "Are you aware that Jeffery Lee has testified that he would  
6 not hold his breath" --

7 THE COURT: I think he wants you to read it to  
8 yourself. Just read it to yourself.

9 THE WITNESS: I'm sorry. Okay.

10 MR. BUTTS: Thank you, Your Honor.

11 Q. Have you refreshed your recollection?

12 A. Yes.

13 Q. So are you aware, Doctor, that Jeffery Lee has testified  
14 that he would not hold his breath during his execution?

15 A. Yes.

16 Q. And every opinion that you offer about how Mr. Lee will  
17 experience his execution is based on general physiology, not on  
18 any individualized patient-doctor-based relationship or  
19 assessment; correct? With Mr. Lee, that is.

20 A. Not Mr. Lee specifically, but with other patients that I've  
21 cared for and extrapolated from their conditions and the  
22 physiology.

23 Q. And no patient of yours has ever been a condemned felon in  
24 an execution protocol of any kind, have they, Doctor?

25 A. No. I have never had a patient who has been condemned to

1 death.

2 Q. And you've never had a patient that has been condemned to  
3 die via nitrogen hypoxia, have you, Doctor?

4 A. No, I have not.

5 Q. So, Doctor, in your field, data are derived from research  
6 studies, observations that help formulate hypotheses, which can  
7 then be used -- or tested and used. So is there a distinction  
8 between those observations and data?

9 A. I'm not sure I understand the question.

10 Q. You would agree that there are distinctions between research  
11 studied data and lay witness observations; correct, Doctor?

12 A. Lay witness observations, yes, I think there are differences  
13 often between those and the actual data from controlled studies.

14 Q. And that being said, eye witness accounts of prior nitrogen  
15 executions are not data, they're observations; correct?

16 A. Yes, they're observations, which we may then try to  
17 integrate with studies and other things that we observe -- or  
18 know from studies.

19 Q. The Luft 1951 aviation study, is that data?

20 A. Can you -- I know you have it somewhere in the binder.

21 Q. In the binder, yes. Yes, Doctor. In the binder before you,  
22 it is Defendants' Exhibit 18.

23 MR. CLINE: Your Honor, I don't mean to interrupt.

24 Does defense counsel have a binder for opposing counsel? Thank  
25 you. Thank you, Your Honor.

1 Q. Are you with me, Doctor, on the 1951 Luft?

2 A. Yes.

3 Q. So this is a research study; correct?

4 A. I'm just reviewing it quickly, if I may have a moment.

5 What I'm looking for to assess this is a description of the  
6 methods, the methods section, which does not exist in the study,  
7 nor is there a specific results section. It's describing some  
8 experiences that he witnessed, and it's not -- I would not call  
9 this a formal research study, no.

10 Q. In your prior testimony with plaintiff's counsel, you did  
11 credit the results of the study, though, didn't you, Doctor?  
12 You just distinguished them as being different because, well,  
13 this is dealing with partial pressure related to changes in the  
14 atmospheric pressure to simulate pilots and so forth, but you  
15 credited the time to loss of consciousness that they found in  
16 this study; correct?

17 A. I helped to -- at that time to explain what I thought was  
18 being seen here. But your question to me just now is, is this a  
19 research study, and I do not classify it as a research study.

20 Q. And you wouldn't classify layman testimony of reporters  
21 viewing an execution as research studies; correct?

22 A. That's correct.

23 Q. And the Breath to Death article that you co-authored, it has  
24 right at the top in all capital letters, I believe,  
25 perspectives. Now, a perspective piece, that is different from

1 a typical research study, isn't it?

2 A. That's correct. A perspective is not a research study. It  
3 represents views of the authors for an academic journal, like  
4 the Journal of Applied Physiology, drawn from other published  
5 work -- high-quality published works. That's how you get this  
6 sort of thing accepted for peer review through a peer-review  
7 process.

8 Q. So ultimately, Doctor, this is an opinion and advocacy piece  
9 that you have included citations in; correct?

10 A. It's a perspective of -- as an interpretation of the  
11 literature, which is viewed as quality literature, and then  
12 drawing conclusions from it and making recommendations based  
13 upon that. That would be the way I would characterize a  
14 perspective.

15 Q. So you would disagree that it is your opinion?

16 A. If I wrote a paper that just said, this is my opinion, it  
17 would not be published in a peer-reviewed journal. So this,  
18 again, is an informed statement of the literature, what the  
19 literature is telling us, and making recommendations. Other  
20 people could make different recommendations, so in that -- to  
21 some extent that reflects the views of myself and the coauthors  
22 here. But it is different from just saying, this is my opinion  
23 about something.

24 Q. So in *Breath to Death*, you and your coauthors discuss  
25 thrashing, violent jerking, heaving and retching, gasping, eye

1 witness testimonies, essentially. And you've agreed that those  
2 eye witness testimonies are not data; correct?

3 A. That's correct. Eye witness testimony is not data from a  
4 controlled study.

5 Q. So you are relying on more in Breath to Death than  
6 peer-reviewed, research-studied data; correct?

7 A. We put in those kind of descriptions because there were  
8 other observations claiming people looked comfortable somehow  
9 during it. So this was really just to say, well, there are  
10 those observations, there are these observations, but ultimately  
11 the perspective was based on physiologic data and studies.

12 Q. So what observations are you referring to there, Doctor,  
13 that people testified -- I'm not sure where that's coming from.  
14 Did you cite where people said they felt comfortable in Breath  
15 to Death somewhere?

16 A. I think there were -- again, I'd have to go back and look  
17 specifically at the paper if you would give me a moment. Again,  
18 if you could just refresh my memory on where that reference is  
19 in your binder. Do you have the tab number for Breath to Death?

20 Q. In your binder, it is Plaintiff's Exhibit 41 there at the  
21 front, Appendix C. It is the piece that you appended to your  
22 expert report in this case.

23 A. Okay. All right. 41 is the Worsham article.

24 Q. It will be the first 41 in your binder.

25 A. So 41 is my deposition in the binder you've just given me.

1 Q. It's the large binder, Doctor. Yes, sir.

2 A. So 41 -- the first 41 is my deposition. The second 41 --

3 Q. The first 41 should be your expert report.

4 A. Yeah.

5 Q. And then if you turn all the way towards the back, at  
6 Appendix C, this is the version --

7 A. Okay. I have it now. Thank you.

8 Q. -- that is appended to your expert report.

9 And so there's nowhere cited in this piece that relates to  
10 any testimony or assertion that it is comfortable, but you've  
11 testified here that the eye witness reports in this piece were  
12 in response to assertions that nitrogen asphyxiation is  
13 comfortable; correct?

14 A. Right. We make this statement about contrary to claims, but  
15 didn't provide citation for that.

16 Q. So, then, you are discrediting other potential eye witness  
17 reports in favor of eye witness reports that align with your  
18 opinion; correct?

19 A. No. The basis for this paper was from, again, our  
20 understanding of the physiology. The inclusion of that was  
21 merely as a counter to somebody saying, well, but there are  
22 these reports that patients looked comfortable. So we put that  
23 in really to counter those reports, but the ultimate basis for  
24 this was about the underlying physiology.

25 Q. So there in paragraph two, will you read the last sentence

1 of paragraph two for me there on the left column of the first  
2 page.

3 A. First sentence or the last sentence --

4 Q. Last sentence of the second paragraph.

5 A. "The misinformed notion that nitrogen asphyxiation is  
6 peaceful or humane continues to circulate in public debate,  
7 policy discussions, and even legal frameworks, necessitating a  
8 concerted scientific and ethical response."

9 Q. And so the Breath to Death piece, none of it reckons with  
10 any of the research or support or bases that people may have for  
11 what you claim to be a misinformed notion; correct?

12 A. Again, I'm not sure I totally understand the question.

13 Q. In the Breath to Death opinion piece, you have only cited  
14 research that aligns with your opinion that nitrogen  
15 asphyxiation is painful; correct?

16 A. I'm not aware of any research that shows that it's not  
17 associated with suffering.

18 Q. Okay, Doctor. The Moosavi study, you have said that that is  
19 the primary study for your opinion that dyspnea is caused by  
20 hypoxia alone; correct?

21 A. That is the best study that I know of recently that  
22 specifically looks at hypoxemia in a very controlled way to  
23 analyze whether it is producing shortness of breath or dyspnea.

24 Q. And the subjects in the Moosavi study were healthy  
25 volunteers; correct? If you would like to turn with me, it

1 is Exhibit 37 in the binder in front of you, Defendants'  
2 Exhibit 37.

3 A. Yes. Thank you. These were healthy subjects. Yes.

4 Q. None of the subjects were prisoners or restrained physically  
5 or facing an execution; correct?

6 A. They were not prisoners. They did a piece of the experiment  
7 where their ventilation or the size of their breath, rather, was  
8 restrained.

9 Q. And that part of the experiment, their breath was restrained  
10 via intubation and a mechanical ventilator; correct?

11 A. No. They were restrained by breathing from a bag, an  
12 anesthesia bag, that was limited in its volume.

13 Q. Okay. So they had an external bag that was simulating a  
14 lung with a limited volume of air; correct?

15 A. Right. It was looking at this notion of if I don't get the  
16 breath size that I want, that my brain is telling me I need,  
17 what will that do to the shortness of breath intensity? And it  
18 showed that it markedly increased the intensity of the dyspnea.

19 Q. And those subjects, they could communicate verbally at any  
20 time; correct?

21 A. They were breathing on mouth pieces and things, but they  
22 could take it out and communicate, yes.

23 Q. And so by virtue of being a subject in this type of study,  
24 they could stop at any time if they wanted to?

25 A. Yes.

1 Q. And none of the subjects ever reached unconsciousness;  
2 correct?

3 A. That's correct. They were all awake during this study.

4 Q. So in the Moosavi study, CO2 was carefully maintained at  
5 hypocapnic artificially low levels; is that correct, Doctor?

6 A. In the Moosavi study, they were in the low thirties, I  
7 believe. Yes.

8 Q. So the Moosavi study just has no data at all on what  
9 subjects would have experienced once they became unconscious if  
10 they had; correct?

11 A. No. This study does not address experiences when not awake.  
12 We rely on other studies for that conclusion.

13 Q. So the Moosavi study does not directly address the  
14 unconscious phase of nitrogen asphyxiation at all; correct?

15 A. No. I think the studies of mechanically ventilated patients  
16 provides us with information related to experience of dyspnea  
17 when unawake.

18 Q. The other studies you're referring to; correct?

19 A. The Demoule study. Yes.

20 And I would add to that the study of -- the fMRI study of  
21 somebody who is made uncomfortable with a stimulus while they  
22 were sedated as well and unawake and that their brain imaging  
23 lit up. So that's another example of how one can perceive  
24 noxious experiences even when not awake.

25 Q. What MRI study are you referring to?

1 A. This is the FMRI study that looked at people sedated with  
2 propofol and given a noxious stimulus and put in the FMRI scan  
3 to look at whether the brain was activated during that time that  
4 they were sedated.

5 Q. Did you cite that study in your expert report or rebuttal?

6 A. I don't specifically recall that now.

7 Q. Do you know the name or who authored that study?

8 A. I'm sorry. I don't recall the author's name at this moment.

9 Q. Do you recall the title of the study?

10 A. No, not at the moment.

11 Q. Do you recall the year the article was published?

12 A. It was relatively recent, in the last ten years, but I don't  
13 remember the exact year.

14 Q. So you have your expert report there in front of you.

15 MR. BUTTS: Your Honor, may I have a moment to confer?

16 THE COURT: You may.

17 (Brief pause in the proceedings.)

18 MR. BUTTS: Thank you, Your Honor.

19 Q. Doctor, if you will turn with me on paragraph 32 -- I  
20 believe I know where you're referring to in your report. Can  
21 you read paragraph 32 of your expert report for me. Again,  
22 that's at the front of the binder, Plaintiff's Exhibit 41. It's  
23 page 9 of your report.

24 A. Paragraph 32 did you say?

25 Q. Yes, Doctor, if you'll read it.

1 A. "Healthy patients sedated in an experimental setting with  
2 the drug propofol, for example, have been shown to have  
3 functional magnetic resonance imaging, FMRI, patterns of  
4 conscious activity in the areas of the brain that process  
5 noxious stimuli and control adverse emotions such as fear,  
6 despite being rendered completely unconscious by propofol."  
7 Huang, 2018.

8 Q. And that Huang 2018, is that the source that you're  
9 thinking of?

10 A. Yes. That was the one in addition to the ventilator  
11 studies. Yes.

12 Q. And you don't recall the title of that source, do you,  
13 Doctor?

14 A. No, not off the top of my head right now. No.

15 Q. Is it in the materials you relied upon in your report?

16 A. Well, this is in the report, so it's one of the things that  
17 informed me about this and influenced my writing of the report.

18 Q. Well, that's -- Doctor, I'm asking, is the specific title,  
19 the publisher, the full name of the authorship, are those  
20 included in your report anywhere? I'm trying to find it here  
21 myself. If you want to turn with me to Appendix B, we've got  
22 your materials considered list.

23 A. I mean, it's referenced there with the name and the author's  
24 name and the -- the year, so I'm assuming it's in the reference  
25 list that went along with the report. What you've given me here

1 doesn't include the reference list, the citation list.

2 Q. Are you at Appendix B of your report?

3 A. Appendix B is my curriculum vita, I think. Is that not --  
4 no. Here it is. 18. I'm sorry. Huang.

5 Q. Let's go page by page, Doctor. Do you see it there on the  
6 first page, items one through nine of your materials considered?

7 A. It looks like it was somehow left out of the reference list.

8 Q. So you confirm you do not have a full citation to the Huang  
9 2018 study anywhere in your expert reports?

10 A. I do not see it in this expert report that's in this binder,  
11 no.

12 MR. BUTTS: Your Honor, at this time, I'd like to move  
13 to strike all testimony related to any of the FMRI imaging that  
14 the Doctor has been referencing, because he has not provided a  
15 source.

16 THE COURT: What's your response?

17 MR. CLINE: Your Honor, we would object. We went  
18 through a number of articles with Dr. Schwartzstein earlier  
19 today, including the consensus statement by the European  
20 Respiratory Society, which he was a co-author on, and we looked  
21 at specific quotes and sections in that article that talked  
22 about MRI imaging studies. Dr. Schwartzstein provided testimony  
23 about those imaging studies and how he was aware of them in the  
24 context of how you put a consensus statement like that together.  
25 And there are citations in the ERS consensus statement about

1 these very MRI imaging tests that he's now being questioned  
2 about. So I think it's clear from his testimony earlier today,  
3 being a co-author on this article, which he relied on and which  
4 is on his reliance materials, that he's familiar with those  
5 studies, he's familiar with that data, and he should be  
6 competent enough and is competent enough to testify about them.

7 THE COURT: What's your response?

8 MR. BUTTS: Well, Your Honor, it is one thing if he did  
9 actually cite it in one of the studies, but I would like the  
10 citation. So if it's kind of -- kind of hidden under some  
11 bolstering, then we need to know that citation to be able to  
12 have confidence that he's an appropriate expert on this.

13 MR. CLINE: I can make a representation to you, proffer  
14 to you what the citation is, or I can do it on redirect, however  
15 you want to proceed --

16 MR. BUTTS: If you'll proffer --

17 MR. CLINE: -- or however the Court wants to proceed.

18 MR. BUTTS: Deference to the Court, of course, Your  
19 Honor, but if --

20 THE COURT: If you can proffer the citation, why don't  
21 you do so on the record now.

22 MR. CLINE: Yes, Your Honor. And just so the record is  
23 clear, in Plaintiff's Exhibit 55, the ERS statement of which  
24 Dr. Schwartzstein is a co-author, reference 26 in that paper is  
25 a study by -- I'm going to butcher this -- first name Hofbauer,

1 et al., Dose-Dependent Effects of Propofol on the Central  
2 Processing of Thermal Pain. And that's in Anesthesiology,  
3 Journal of Anesthesiology, 2004, volume 100, page 386 to 394.

4 MR. BUTTS: Is that the only source?

5 MR. CLINE: I would submit there are multiple citations  
6 in the ERS statement which he co-authored and relies on related  
7 to that very same topic.

8 MR. BUTTS: Okay.

9 MR. CLINE: Would you like me to continue?

10 MR. BUTTS: Well, I will state for the record that the  
11 Hofbauer, R.K., Plourde, G., Dose-Dependent Effects of Propofol  
12 that plaintiff's counsel is referring to, it's published in  
13 2004. I do not see Huang or a publication date of 2018. So for  
14 the record, that is not cited specifically in his expert report.

15 MR. CLINE: Your Honor, they've stipulated to his  
16 qualifications as we went through today. Dr. Schwartzstein has  
17 extensive qualifications. He's a co-author of not only this  
18 statement, but the ATS statement, which I believe also talks  
19 about how regions in the brain -- the same regions in the brain  
20 are stimulated by both dyspnea and pain and discussion about  
21 that statement and also --

22 THE COURT: Well, let me stop you. He's moving to  
23 strike the testimony based on the fact that the defense doesn't  
24 have any reference to that study. Do you have authority for the  
25 fact that absent having the underlying study, he can testify

1 about the study?

2 MR. CLINE: No, Your Honor. Not specifically about  
3 that study. But as we mentioned, he did rely on articles that  
4 discuss that and other studies as part of his work on these --  
5 the ERS consensus statements.

6 MR. BUTTS: And, Your Honor, we would state for the  
7 record that we have not stipulated to Dr. Schwartzstein's  
8 qualifications as an expert in MRI imaging. That's not part of  
9 the stipulation to his expert qualifications.

10 THE COURT: All right. In the absence of them being  
11 able to have the study, and he is not being offered as an expert  
12 on MRIs, I'm going to strike his testimony as to that aspect of  
13 the fMRI imaging, unless you can rehabilitate him with respect  
14 to that on redirect.

15 MR. CLINE: Understood, Your Honor.

16 THE COURT: All right. Go ahead.

17 MR. BUTTS: Thank you, Your Honor.

18 Q. While we're still working through your expert report,  
19 Doctor, if you'll turn with me to page 27 of your expert report.  
20 You wrote that a prisoner's conscious knowledge that he cannot  
21 take steps to rectify the underlying cause of his shortness of  
22 breath, end quote, that that is an independent factor that  
23 amplifies the alleged suffering. You wrote that; correct?

24 A. You're referring to my report now, which only goes 14 pages.  
25 You said page 27?

1 Q. Paragraph 27, Doctor, that first sentence: "The first  
2 factor exacerbating dyspnea is the conscious knowledge that he  
3 cannot, the prisoner, take steps to rectify the underlying cause  
4 of his shortness of breath."

5 A. Yes.

6 Q. You wrote that?

7 A. Yes.

8 Q. And so your theory is that this conscious knowledge that  
9 you're referring to in your report, that that causes increased  
10 anxiety; correct?

11 A. Yes.

12 Q. And that increased anxiety feeds back and worsens the  
13 dyspnea almost cyclically; correct?

14 A. Yes.

15 Q. So that feedback loop, the anxiety-amplifying dyspnea, the  
16 dyspnea-amplifying anxiety, that requires the prisoner to be  
17 consciously aware of that situation; correct?

18 A. This is a first factor exacerbating dyspnea. It's not the  
19 only thing that's contributing. If I'm fearful about something  
20 about to happen, it may well further the intensity of the actual  
21 experience that they're doing.

22 Q. Yes, Doctor. So for this factor, conscious awareness is  
23 necessary?

24 A. Not necessary for them to be short of breath. It's just  
25 going to further exacerbate the intensity of the dyspnea.

1 Q. So the sentence reads: "The first factor exacerbating  
2 dyspnea is the conscious knowledge." So, Doctor, the factors  
3 we're talking about here are those which exacerbate dyspnea;  
4 correct?

5 A. Yes. But I might have a dyspnea level of ten, and with the  
6 anxiety it's 11. So, you know, it's already intense, and it may  
7 be even more intense based on an anxiety of knowing that I'm  
8 about to suffer from this and die.

9 Q. And that anxiety is conscious knowledge; correct?

10 A. Yes.

11 Q. But if the prisoner was fully unconscious, they would have  
12 no conscious ability -- no conscious knowledge of that inability  
13 to breathe; correct?

14 A. Yes. But that's, again -- it's a small factor that may make  
15 that sensation worse, but it doesn't mean that in the absence of  
16 that, I'm not going to have dyspnea, which seems to be an  
17 implication of the question. So I just want to be clear that  
18 this is a minor issue. It is an exacerbating factor, but the  
19 underlying protocol is what's causing somebody to be extremely  
20 short of breath and suffering from this.

21 Q. So there in that part four of your expert report in  
22 paragraph 27, we've been discussing the first factor of  
23 conscious knowledge, which exacerbates dyspnea. In 28 you have  
24 the second exacerbating factor, the presence of physical  
25 restraints. Those are the only two factors that you give in

1 your report of exacerbations of dyspnea; correct?

2 A. Of exacerbation of the dyspnea. Yes.

3 Q. And so would you say, one, 50 percent of the two  
4 exacerbating factors is small? Would you agree with what you've  
5 just stated?

6 A. Well, the conscious knowledge part is small. I think the  
7 restricted tidal volume is significant -- is very significant.

8 Q. And so if the prisoner just has no conscious knowledge, that  
9 amplification situation you're talking about in paragraph 27,  
10 one of the exacerbating factors, that's not going to happen  
11 while they're unconscious; correct?

12 A. I mean, in general, an unawake person is not predicting  
13 what's going to happen to them. But this is -- they don't lose  
14 their consciousness -- their wakefulness at the early part of  
15 the protocol. So this is relating to the fact that in the early  
16 part of the protocol, it hasn't been started yet. I know that  
17 they're going to do this thing that's going to make me intensely  
18 short of breath. And, yes, that preconceived notion, that  
19 anxiety associated with that, will contribute to it. And so  
20 that's the part of the protocol that we're referring to here --  
21 that I'm referring to here.

22 Q. And the prisoner also is going to reasonably have anxiety  
23 about their impending death; correct?

24 A. Yes. But as I noted, that's an exacerbating factor, but  
25 it's different from the anxiety that's associated with the

1 actual dyspnea itself.

2 Q. And the fact of the matter is you actually -- you have no  
3 basis with which to extricate those two components, though.

4 A. I do have a basis, based on what I noted in my testimony  
5 about benzodiazepines and their effect on anxiety and the way we  
6 typically think about it for predicting some activity we're  
7 engaged in or something that's going to happen to us versus the  
8 anxiety associated with dyspnea. One is responsive to  
9 benzodiazepines, the anticipatory anxiety; one is not responsive  
10 to benzodiazepines, the anxiety associated with shortness of  
11 breath.

12 Q. And in those studies you're referring to, they were  
13 conscious patients?

14 A. Yes.

15 Q. And they were not subject to impending execution?

16 A. No, they were not.

17 Q. So, Doctor, we talked a lot about this in your deposition.  
18 You have this theory of unwakefulness. So you have testified  
19 that even though someone may have no conscious knowledge while  
20 they are totally unconscious, that they nevertheless suffer  
21 dyspnea while not awake; is that correct?

22 A. That's correct. And it isn't just my theory. Again, we  
23 reviewed this earlier this morning. The statement from the  
24 European Respiratory Society and the European intensive care  
25 medicine -- European Society of Intensive Care Medicine, this

1 notion of brain suffering where they also delineate throughout  
2 that paper this notion of going from wakefulness to  
3 unwakefulness and still having activity and processing and  
4 experience of the brain that leads to brain suffering.

5 Q. And so what source are you speaking to there, Doctor?

6 A. That was one of the other sources we mentioned -- came up  
7 within our -- and I'll try to look for it if you want. It will  
8 take a few minutes to try to find it.

9 Q. What are the kinds of studies that are used in the piece  
10 you're talking about?

11 A. So just to get back to your first point, the discussion  
12 about brain suffering is in Dyspnea in Acutely Ill Mechanically  
13 Ventilated Adult Patients, the ERS/ESICM statement.

14 Q. Okay. I've got it here.

15 THE COURT: Can you state for the record what exhibit  
16 number that is?

17 MR. BUTTS: That is Plaintiff's Exhibit 55.

18 A. And in table one, they describe respiratory-related brain  
19 suffering. An ensemble of brain responses to abnormal or  
20 abnormally interpreted respiratory-related messages. And go on  
21 to describe other elements of respiratory-related brain  
22 suffering.

23 Q. I see where you're at there on page 3, Table 1.

24 A. Yes.

25 Q. I believe the citation there is pointing to end note 12; is

1 that correct?

2 A. Yes, that is the citation that they're using in the  
3 paragraph above. "The nature and the very existence of dyspnea  
4 as a symptom depend on a complex series of processes linking its  
5 expression to the underlying phenomena, including past  
6 experiences and the associated expectations." And they  
7 reference number 12 as you just noted.

8 Q. And number 12 there on page 19 looks to be by Marlow, Faull,  
9 and Finnegan, titled Breathlessness and the Brain: The Role of  
10 Expectation, published in Current Opinion Support Palliative  
11 Care in 2019; is that correct?

12 A. Yes.

13 Q. And you were not a co-author on that study; correct?

14 A. No.

15 Q. And you did not participate in that study in any capacity;  
16 correct?

17 A. That's correct.

18 Q. And so part of your basis there of the PTSD studies, those  
19 do include the Worsham, the Banzett, and your own Breath to  
20 Death paper; correct?

21 A. Yes.

22 Q. Excuse me. I'm referring to, actually, Defendants' Exhibit  
23 41, the Air Hunger and Psychological Trauma in Ventilated  
24 Patients with COVID 19, which Dr. Schwartzstein was the  
25 co-author of.

1 A. We're also talking about the Demoule paper which was  
2 referenced earlier today as well. Yes.

3 Q. That would be the 2024 Demoule paper?

4 A. I can't swear to the year, but let me try to find it.

5 Q. It should be at the front of your binder, Plaintiff's  
6 Exhibit 55.

7 A. Yes. Yes. Well, no, there's another study -- I'm sorry.  
8 There's another study that was done by Demoule that is the  
9 actual study that collected the data on which the statement was  
10 partly based.

11 Q. Focusing on the Demoule study --

12 A. Well --

13 Q. -- you've relied on that for that proposition that dyspnea  
14 causes suffering, even in this unwakeful state and/or apparent  
15 unconsciousness; correct?

16 A. Just to be sure we're in the same place here, this is a  
17 study, Prevalence, Intensity, and Clinical Impact of Dyspnea in  
18 Critically Ill Patients Receiving Invasive Ventilation. That's  
19 Alex Demoule. He's a European, well-respected pulmonary  
20 critical care doctor. This was published in the American  
21 Journal of Respiratory and Critical Care Medicine, 2022. April  
22 15th, 2022.

23 Q. So I'm looking at Plaintiff's Exhibit 55, the 2024 published  
24 Demoule, Dyspnea in Acutely Ill Mechanically Ventilated Adult  
25 Patients in ERS/ESICM statement. It is tabbed 55 at the front

1 of your binder.

2 A. I'm sorry. Yes. Sorry. Lots of studies here.

3 Q. But you did rely on this study; correct, Doctor?

4 A. Yes. Where they demonstrated increased mental health issues  
5 and PTSD following intubation for acute respiratory failure.

6 Q. And so all of the patients and subjects considered in that  
7 study, they were mechanically ventilated ICU patients; correct?

8 A. I believe so, yes.

9 Q. And they were not prisoners experiencing nitrogen hypoxia;  
10 correct?

11 A. That's correct. But they were, again, experiencing intense  
12 shortness of breath with appearance of being unawake, and yet  
13 experienced -- processed this experience in a way that led to  
14 mental health issues, including post-traumatic stress disorder.  
15 So when you asked me originally why I believed that people who  
16 are unawake may be, in fact, experiencing distress, this was  
17 some of the information that I rely upon for making that  
18 conclusion.

19 Q. Okay. So every person in those PTSD studies that you rely  
20 on, they necessarily subsequently regained consciousness, and  
21 they were then able to communicate their experience; correct?

22 A. Yes. They were able to communicate the experience and give  
23 us insight into what was happening to them when they were unable  
24 to communicate their experience.

25 Q. And there's no way to diagnosis PTSD or confirm suffering

1 from dyspnea until after somebody is awake and conscious and  
2 able to communicate, is there?

3 A. That's correct. But if we know those experiences that are  
4 associated with PTSD and someone goes through those experiences,  
5 I think we can make a prediction that there's a high probability  
6 that they will also experience this kind of a consequence.

7 Q. But that kind of experience is necessarily different from a  
8 nitrogen hypoxia execution where unconsciousness is rendered,  
9 brain death follows, and subsequently the death of the person;  
10 correct?

11 A. I'm sorry. Could you repeat the question?

12 Q. These PTS studies of mechanically ventilated adult patients  
13 that wake up after their period of being mechanically  
14 ventilated, that is necessarily different from someone who is  
15 rendered unconscious as fast as possible by objective, leading  
16 to brain death and ultimate death of the person; correct?

17 A. No. With respect to the comparison that I'm making, which  
18 is, are they experiencing potentially severe air hunger and  
19 suffering from that in the unawake state, I think they are  
20 comparable.

21 Q. And you have never talked with anyone that has experienced a  
22 nitrogen hypoxia execution, have you?

23 A. That is correct.

24 Q. And you've never talked with anyone that has survived  
25 nitrogen hypoxia?

1 A. That is correct. I believe there are no survivors of it.  
2 Are you aware of any? I'd love to talk to them.

3 Q. Mr. Jeffery Lee will not regain consciousness after his  
4 nitrogen execution to report anything, will he?

5 A. I believe that's correct.

6 Q. And you do not know and cannot state with any medical  
7 certainty that he experiences any pain; correct?

8 A. I believe we have sufficient data here to indicate that  
9 there will be brain suffering here and that there is intense  
10 dyspnea, even when he is in the unawake state.

11 Q. So that entire evidentiary bridge between brain activity  
12 during sedation or unwakefulness or unconsciousness and  
13 reportable suffering in all of the PTS studies, it nevertheless  
14 depends on the patient surviving to describe it; correct?

15 A. It depends on a patient's surviving to tell me that they had  
16 the experience. It doesn't require them to survive it to have  
17 the experience. If you fell off a mountain and got crushed and  
18 died, you had this experience, and you may not be able to tell  
19 anyone about it.

20 Q. So, Doctor, this is not falling off a mountain; correct?

21 A. Correct.

22 Q. And all of the patients in these PTS studies, they did not  
23 undergo precipitous hypoxia; correct?

24 A. I'm sorry. In which studies, now, that you're referring to?

25 Q. The Demoule 2024 and those akin to it that you have relied

1 on for your PTSD position.

2 A. They may have been hypoxemic before they were intubated and  
3 placed on mechanical ventilation. We certainly try to prevent  
4 severe hypoxemia during mechanic ventilation.

5 Q. But they were all conscious when they were mechanically  
6 ventilated?

7 A. No. Well, they were not awake. Again, I -- I'm sorry about  
8 parsing out a little bit about the term consciousness, because  
9 it's used by many lay people to just say you're not awake, and  
10 so you're unconscious. But I believe that they can be asleep or  
11 not awake and still have their brain functioning and  
12 experiencing stimuli.

13 Q. So your expert report simultaneously argues that conscious  
14 knowledge amplifies suffering, like we looked at paragraph 27,  
15 and that suffering occurs without consciousness in paragraph 33;  
16 correct?

17 A. What I'm saying in paragraph 27 is that with anticipatory  
18 anxiety about the event, that that will be an exacerbating  
19 factor for dyspnea.

20 Q. And that anticipatory anxiety is necessarily a conscious  
21 experience; correct?

22 A. I believe so, yes.

23 Q. And then in paragraph 33, you make reference to, quote,  
24 patients who survive episodes of acute respiratory failure  
25 treated with mechanical ventilation who appear comfortable with

1 sedation and analgesia, nevertheless frequently suffer from  
2 mental health issues, including PTSD, attributed to the dyspnea  
3 experienced during mechanical ventilation." Citing to Worsham,  
4 et al., Demoule, et al., 2022, Demoule, et al., 2024. This is  
5 evidence that individuals can experience distress from dyspnea  
6 even in a state of apparent unconsciousness.

7 Those are both of your opinions; correct?

8 A. Yes.

9 Q. And the character of the unconsciousness of all of those  
10 subjects and patients in those studies was such that they could  
11 wake up; correct?

12 A. To the extent that they survived their acute respiratory  
13 failure, yes, they could wake up.

14 Q. And so they had not suffered brain damage to the point where  
15 they were incapacitated from relaying that conscious experience  
16 retrospective; correct?

17 A. We don't really know that because they died is what you're  
18 saying. They didn't wake up.

19 Q. The PTS study subjects all died?

20 A. Sorry. You're -- repeat your question. I may have  
21 misunderstood.

22 Q. Doctor, I'm talking about the PTSD studies --

23 A. Yes.

24 Q. -- and those subjects that were studied therein.

25 A. Yes.

1 Q. They all necessarily woke up; correct?

2 A. Yes.

3 Q. And they all necessarily had not suffered a state of  
4 unconsciousness which resulted in any brain damage; correct?

5 A. I don't know whether every one of those patients survived  
6 without having some brain dysfunction --

7 Q. So all of those patients were able to convey consciously, in  
8 a coherent fashion, to the authorship of those studies; correct?

9 A. Yes. They were able to communicate after being extubated.

10 Q. And so the -- once Jeffery Lee is fully unconscious, the  
11 only empirical basis that you have for claiming suffering  
12 continues is a PTSD analogy to patients who have survived and  
13 not suffered hypoxic brain death, so it is unlike Mr. Lee;  
14 correct?

15 A. Right. I am extrapolating that in the unawake state, as  
16 these patients were --

17 Q. Thank you, Doctor.

18 A. -- they have experiencing dyspnea --

19 THE COURT: Let him finish his answer. Go ahead.

20 A. That being unawake does not prevent you from experiencing  
21 dyspnea and the associated suffering.

22 Q. In your expert report, paragraph 28, we've got the second  
23 exacerbating factor of dyspnea as you've alleged, which is the  
24 presence of physical restraints; correct?

25 A. Yes.

1 Q. And the primary study that you've cited for that proposition  
2 is your 1995 paper you co-authored with Manning that is  
3 Plaintiff's Exhibit 70; correct?

4 A. Yes.

5 Q. That is The Pathophysiology of Dyspnea, Plaintiff's Exhibit  
6 70.

7 A. Yes.

8 Q. And in that study, the subjects were quadriplegic patients  
9 on mechanical ventilators; correct?

10 A. Yes.

11 Q. And their tidal volume was constrained externally by the  
12 ventilator settings; correct?

13 A. Yes.

14 Q. So the machine was programmed to limit how much air it  
15 delivered; correct?

16 A. Yes.

17 Q. That is a different physiological mechanism from a seat  
18 belt, isn't it, Doctor?

19 A. It's a different physiological mechanism? Is that what you  
20 asked?

21 Q. Yes, Doctor.

22 A. No, it's a similar physiological consequence.

23 Q. So a strap that you're wearing normally akin to a seat belt  
24 in a vehicle, is your breathing restricted when you drive?

25 A. It depends on where the seat belt is and what the nature of

1 your abdomen is.

2 Q. And the nature of the abdomen, that refers to your  
3 comparison to obese subjects; correct?

4 A. Yes.

5 Q. And how they may or may not fit well to a strap?

6 A. Yes.

7 Q. Have you ever seen the straps that are used in Alabama's  
8 protocol, Doctor?

9 A. I've seen the pictures of them. I have not seen them  
10 directly.

11 Q. So you have not seen the straps in person?

12 A. No.

13 Q. And you have never worn the straps; correct, Doctor?

14 A. That's correct.

15 Q. So you have no basis to opine on how tight the straps may or  
16 may not be; correct?

17 A. I have no primary knowledge of that.

18 Q. And you have no primary knowledge if the straps impede the  
19 tidal volume of the condemned on the gurney in any way; correct?

20 A. No.

21 Q. So you've asserted that physical restraints can mechanically  
22 drive increased respiratory distress independent of  
23 consciousness; is that correct?

24 A. Yes.

25 Q. So your assertion is that, well, it doesn't matter if

1 they're unconscious, the straps could still be limiting tidal  
2 volume and thus increasing suffering; correct?

3 A. Yes. We believe that's a key part of the study by Demoule  
4 with mechanical ventilation.

5 Q. So the key part of your theory that unconscious people would  
6 suffer is the chest restraint, correct, because it's limiting  
7 that mechanical ventilation, even though they're unconscious?

8 A. You're talking about in the nitrogen asphyxiation --

9 Q. Yes, Doctor.

10 A. -- protocol? Yes, it appears that that may be restricting  
11 tidal volume at a time when their drive to breathe is  
12 exceedingly high from the hypoxia.

13 Q. And so would it be your opinion that some of the same  
14 observable symptoms of increased respiratory rate, heaving and  
15 gasping, that even if the person is unconscious, that it could  
16 be the restraints causing that?

17 A. I think the restraints would exacerbate it, not be the  
18 direct cause, but would make things worse. So you need to have  
19 something that's stimulating your respiratory centers, control  
20 centers, telling you to take larger breaths.

21 Q. So, Doctor, in your rebuttal report, paragraph four, you  
22 argue that "Coordinated, purposeful movements observed across  
23 nitrogen executions, such as the pulling against restraints,  
24 coordinated extremity movements, repositioning, hand gestures,  
25 et cetera, are qualitatively different from the tremors and

1 extensions in the Ogden helium reports."

2 A. I'm sorry. Can you tell me which paragraph in the rebuttal  
3 that you're looking at?

4 Q. We're looking at paragraph four of your rebuttal.

5 A. Okay. Yes. Redirect me to exactly what you were asking  
6 about. I'm sorry.

7 Q. So you argued that alleged coordinated, purposeful movements  
8 observed across the executions, that those are different from  
9 the Ogden movements; correct?

10 A. Yes. That's what I've written here. Yes.

11 Q. And so to make that conclusion categorically in a reliable  
12 fashion, you would have to rule out any involuntary neurological  
13 symptoms; correct?

14 A. I'm sorry. I would have to rule out any -- I'm not sure I'm  
15 understanding the question.

16 Q. You have ruled out categorically the movements of the Ogden  
17 studies, correct, as being dissimilar?

18 A. As a physician, I tend not to do categorical things. But I  
19 said here, to read what I said, "are not consistent with the  
20 movements observed in the dissimilar studies." Is that what  
21 you're referring to?

22 Q. Yes, Doctor. And then you go on to state, "For example,  
23 Ogden and others describe tremors and leg/arm extensions in the  
24 case reports of helium suicides. These are very different from  
25 the coordinated and purposeful movements, e.g., pulling against

1 the restraints, coordinated movements of the extremities,  
2 repositioning of the body, hand gestures, et cetera, observed in  
3 prior nitrogen executions."

4 A. Yes.

5 Q. You go on to state, "As stated in my expert report,  
6 coordinated muscle movement as described in the accounts of  
7 prior nitrogen executions is indicative of some degree of  
8 consciousness and consistent with attempts to relieve air  
9 hunger."

10 A. Yes.

11 Q. And you said that?

12 A. Yes.

13 Q. So that's -- to make that statement, you would have to  
14 categorically rule out any neurological symptoms; correct?

15 A. I'd have to categorically rule out any neurological  
16 symptoms. What type of neurological symptoms?

17 Q. To make that opinion in paragraph four of your rebuttal  
18 report, you had to have ruled out any postural reflexing, any  
19 other neurological symptoms that come from brain damage and  
20 brain death; correct?

21 A. Certainly there are people who, in the process of dying, may  
22 make movements, but these look like coordinated movements. And  
23 so to me that indicated that there was enough working,  
24 functioning brain activity to do that. Was there some part of  
25 the brain that may have been damaged before that? I can't

1 categorically exclude that. But what I'm saying is I'm  
2 observing something that looks like there was sufficient brain  
3 activity to do coordinated movements.

4 Q. But you would not know what kind of brain activity or to  
5 what degree; correct?

6 A. No, I wouldn't be able to tell the totality of brain  
7 activity at that point.

8 Q. So, Doctor, are you familiar with hypoxic myoclonus?

9 A. Yes.

10 Q. Can you explain the specific mechanism of that? Because in  
11 your deposition you were not familiar, if I recall.

12 A. I think they're spasmodic movements. I'm not  
13 particularly -- I can't tell you a lot more about them in terms  
14 of the neurological processing.

15 Q. So with the degree of understanding that you do have of it,  
16 hypoxic myoclonus is characterized by sudden muscle spasms and  
17 jerks caused by lack of oxygen to the brain; correct?

18 A. Yes.

19 Q. And so these movements can appear coordinated or purposeful,  
20 even though they are involuntary; correct?

21 A. Myoclonus generally does not look like purposeful movement.  
22 I'm using this in terms of general activities of myoclonus.

23 Q. Did you rule out hypoxic myoclonus as explanation for the  
24 movements across the execution accounts before forming your  
25 categorical conclusion that these weren't indicative of brain

1 damage?

2 A. I'm not sure I would phrase it the way that you're talking  
3 about. What I'm saying is the reports were evidence of  
4 coordinated movements, which meant that there was still  
5 functioning brain activity to lead to those movements. If I  
6 want to try to get these restraints off, I want to do something  
7 to get me out of this. I talked about the anxiety associated  
8 with severe air hunger. I must do something to change the  
9 environment, or I will die. And so this is the kind of activity  
10 I think that would be associated with what these patients are  
11 telling us on a regular basis when we inquire about their  
12 dyspnea.

13 Q. So that's all conscious experience; correct?

14 A. I think they're doing it -- the people are doing this --  
15 conscious implies to me a little bit, the way you're using it,  
16 as I'm specifically thinking I need to do it. This is what the  
17 body reaction is to it and response to, I have to change this  
18 because I am suffering. I am dying. Those words that I used  
19 this morning from the patient reports. So this is the body's  
20 response to that awareness of suffering.

21 Q. So if it's the body's response without any conscious  
22 thought, it would be involuntary; correct?

23 A. I'm making a distinction here, just because these -- we're  
24 using words here that have different meanings to different  
25 people. Conscious is, I have this thing, I need to do this, I'm

1 moving my arm now to do that. A coordinated conscious activity.  
2 I think the reactions that people make when they're under  
3 incredible duress are not quite that planned out. I'm doing  
4 something to change this situation that I'm in; to relieve this  
5 horrendous suffering that I have. So is that conscious in the  
6 sense of I'm picking here to do this? That? I'm not sure it's  
7 that kind of conscious. We're using it in the sense that this  
8 is my response to my life being in endangered by this intense  
9 suffering. Yes, that's conscious if you want to use it that  
10 way.

11 Q. So is it your opinion -- are you referring to subconscious  
12 movement? What exactly are you referring to, Doctor, between  
13 consciousness -- you're using possessive pronouns, my response  
14 to being strapped down, things of that nature. So is it your  
15 opinion that there is wakefulness or not in nitrogen hypoxia?

16 A. I think there is wakefulness until there isn't. They're not  
17 awake the entire time, but they're awake for part of the time  
18 and then not awake.

19 Q. And any inmate would be awake for part of the time of any  
20 execution method; correct?

21 A. Yes. They're awake for part of the time of the execution.

22 Yes.

23 Q. Are you familiar with the Lazarus reflex, Doctor?

24 A. Somewhat.

25 Q. I remember we discussed it in your deposition, and you were

1 not familiar with it then. It's a phenomenon where patients  
2 have brain stem only function, correct, based on your  
3 understanding of it? And so they have no cortical activity;  
4 correct?

5 A. Yes.

6 Q. They produce coordinated appearing arms crossed and raising  
7 upward type of movements; correct?

8 A. Yes.

9 Q. Like Lazarus rising up; correct?

10 A. Yes.

11 Q. And you weren't familiar with that reflex when I asked you  
12 about it at your deposition, were you?

13 A. No. I looked it up after the deposition.

14 Q. And so you did not rule out that class of brain stem only  
15 reflexes as an explanation of coordinated movements in the  
16 execution accounts either, did you?

17 A. No. But in retrospect, I don't think that's what's being  
18 described with them.

19 Q. Lifting up against the straps?

20 A. Not with your arms crossed like that, no. I'm trying to get  
21 a deep breath, and that's -- my response to it is very similar  
22 to that. So I think that's more likely what we were seeing.

23 Q. And their arms are strapped down in the protocol; correct?

24 A. Right.

25 Q. So the lifting of the arms could be the arms attempting to

1 cross; correct?

2 A. I suppose it's possible.

3 Q. So, Doctor, you've mentioned subconscious and --  
4 subconscious movements. Those types of subconscious movements,  
5 they would -- they could not have occurred without the prisoner  
6 being consciously aware; correct? If the prisoner is  
7 experiencing a subconscious movement, that necessarily means  
8 that it was not a conscious movement; correct?

9 A. Again, I'm making the distinction between awake and not  
10 awake. We do a lot of movement around when we are sleep, for  
11 example, to relieve pressure areas in our body. These are not  
12 things you remember in the morning. These are not things that  
13 are conscious in the sense of I'm awake and I'm deciding to roll  
14 over. We do this all the time in unawake states.

15 Q. So looking at paragraph 37 of your expert report, you  
16 stated, quote, "While other physiological processes may explain  
17 some of these movements, all of these listed movements are  
18 consistent with movements taken either consciously or  
19 subconsciously in an effort to relieve sensations of dyspnea or  
20 air hunger." Those are your words; correct?

21 A. Yes.

22 Q. So you categorically discredited any potential neurological  
23 symptom of movement in that opinion; correct?

24 A. Again, I did not use the term categorically here. I'm  
25 describing what are most consistent movements or consistent with

1 movements taken consciously or subconsciously in an effort to  
2 relieve sensations of dyspnea or air hunger.

3 Q. So, Doctor, the raising of the arms appears coordinated and  
4 purposeful, does it not?

5 A. Yes.

6 Q. And the crossing of the arms over the chest would appear  
7 coordinated and purposeful, doesn't it?

8 A. It may, yes.

9 Q. And the lifting up with the arms crossed across the chest  
10 would appear purposeful and coordinated; correct?

11 A. Yes.

12 Q. And all of those symptoms are part of the Lazarus reflex;  
13 correct?

14 A. Yes.

15 Q. And the Lazarus reflex is strictly a symptom of brain stem  
16 only neurological functioning; correct?

17 A. Yes.

18 Q. Which is to say the cortical part of the brain that is  
19 responsible for conscious experience has died; correct?

20 A. Yes, if you're talking specifically about that reflex.

21 Again, I think there are other explanations for this, as I  
22 outline here.

23 Q. So, Doctor, you also opine that Grayson lifting both legs to  
24 45 to 50 degrees indicated consciousness and consistent -- was  
25 consistent with attempts to relieve air hunger; correct?

1 A. Yes. Consistent with that.

2 Q. And you base that primarily on Dr. McAlary's declaration;  
3 correct?

4 A. Can you refer me to the paragraph you're looking at right  
5 now?

6 Q. We're looking at paragraph 38 of your report.

7 A. Yes.

8 Q. And you cite there to the declaration of Dr. Brian McAlary,  
9 Exhibit 4 in the Boyd case, which has been preadmitted in this  
10 case as Defendants' Exhibit 16.

11 And so, Doctor, I remember from the deposition, you are  
12 familiar with decorticate and decerebrate posturing; correct?

13 A. Yes.

14 Q. And those are known as postural reflexes that are brought on  
15 by brain damage; correct?

16 A. Yes.

17 Q. And just like the Lazarus raise, the Lazarus cross, that can  
18 appear coordinated as well; correct?

19 A. It can. I would say many of them are quite distinctive and  
20 are not typically associated, obviously, with conscious efforts.

21 Q. Brain damage likely -- strike that. Brain damage begins at  
22 minimum at approximately three to four minutes of pure nitrogen  
23 inhalation; correct?

24 A. Yes. That's probably a reasonable time interval for it.

25 Q. And you testified to that in your deposition; correct?

1 A. Yes.

2 Q. The Grayson leg raise occurred at approximately three to  
3 five minutes after nitrogen flow began, precisely when brain  
4 damage was beginning by your own assessment; correct?

5 A. Yes.

6 Q. And you acknowledged in your deposition that a postural  
7 reflex explanation for the leg raise was possible; correct?

8 A. Yes.

9 Q. And you couldn't rule it out; correct?

10 A. Yes.

11 Q. If it's possible that the leg raise was a postural reflex  
12 caused by brain damage -- if it is possible -- scratch that. If  
13 it is possible that the leg raise was a postural reflex caused  
14 by brain damage, then it's not reliable evidence of  
15 consciousness; correct?

16 A. So my testimony is built on the combination of what we  
17 understand about the physiology of these processes as well as  
18 some of these observations. So while, yes, I can't say with 100  
19 percent certainty about some of the things you're raising now as  
20 to what the cause is, when I look at the totality of what's  
21 going on, I think that the descriptions -- most of the  
22 descriptions that we've seen, certainly in those first three to  
23 four minutes, are likely responses to the severe distress that  
24 these patients are experiencing, and that was what the basis of  
25 the testimony was in the report.

1 Q. But here today, you cannot state one way or the other that  
2 Grayson's leg raise was a conscious movement or an involuntary  
3 postural reflex brought on by brain damage?

4 A. My testimony is about probabilities and things that are  
5 consistent with what we understand physiologically. I try not  
6 to deal with absolutes. I think those are very challenging in  
7 medicine.

8 Q. So your characterization in paragraph 39 of your report of  
9 Boyd rolling to his side and shifting as a, quote, conscious,  
10 coordinated effort to relieve air hunger, end quote, that is  
11 based on Sarah Clifton's news articles in the Montgomery  
12 Advertiser cited in footnote 11 of your report; correct?

13 A. That's correct.

14 Q. First sentence of paragraph 39; is that correct?

15 A. Yes.

16 Q. And Ms. Clifton is a journalist, not a clinician or a  
17 medical observer; correct?

18 A. Yes, I believe so.

19 Q. And she was observing from the viewing chamber, not at  
20 Boyd's bedside; correct?

21 A. Yes.

22 Q. And you testified in your deposition that you would be,  
23 quote, even more suspect, end quote, making a clinical claim  
24 based solely on a lay person's description; correct?

25 A. I believe I said that, yes, and I'm sure you're reading from

1 the deposition.

2 Q. Would you like to refresh your recollection?

3 A. Sure, if you can direct me to that.

4 Q. Okay. That is page 156 of your deposition, if you will turn  
5 there with me.

6 A. Which tab is the deposition?

7 Q. The deposition is the small notebook.

8 A. I'm sorry. And the page again?

9 Q. It's page 156, which is the upper right quadrant. And while  
10 you turn there -- well, strike that. Let me know when you have  
11 it.

12 A. So I'm looking at page 156.

13 Q. Right there on the first question --

14 A. Yes.

15 Q. -- in the middle of the quadrant, can you read that question  
16 and that answer silently to yourself.

17 A. Are you referring to the issue of a resident meeting the  
18 bar -- I mean a lay person off the street? I'm sorry. I may  
19 not be in the same place you are.

20 THE COURT: Could you speak a little more directly into  
21 the microphone? Thank you.

22 A. I'm sorry. I'm looking at page 156. There is a question:  
23 Okay. So a resident would meet the bar, but I mean a lay person  
24 off the street --

25 Q. Doctor, excuse me. Don't read the deposition.

1 A. I'm not sure I'm in the same place --

2 THE COURT: Give him the line number -- page and line  
3 number.

4 MR. BUTTS: Thank you, Your Honor.

5 Q. Line number 16 through 19 is your answer. Can you read that  
6 silently to yourself.

7 A. Yes. So I've read that.

8 Q. Having read your answer there, I will repeat my question.  
9 You testified that you would -- that you would be, quote, even  
10 more suspect, end quote, making a clinical claim based solely on  
11 a lay person's testimony. Does that answer from the deposition  
12 comport with that question request?

13 A. Yes. This was in the midst of a large discussion we were  
14 having during the deposition about observations and how doctors  
15 interpret observations in terms of associating the observations  
16 with underlying pathophysiologic processes. So it was in that  
17 context where I made the comment that a lay person off the  
18 street, I would be, you know, potentially suspect about  
19 conclusions that they drew necessarily, as opposed to what I may  
20 conclude or interpret based on the actual description of what  
21 was going on.

22 Q. Okay. And Ms. Clifton's account is a lay person's account;  
23 correct?

24 A. Yes.

25 Q. And you offered your opinion about Boyd's conscious state to

1 a reasonable degree of medical certainty based on that lay  
2 person's account; correct?

3 A. That's correct.

4 Q. All right, Doctor. So at a strictly brain stem only  
5 functioning, where the cerebral cortex is no longer operating,  
6 there is absolutely no consciousness whatsoever; correct?

7 A. If we're talking about purely brain stem function, yes,  
8 there's no processing probably going on in the cortex.

9 Q. Okay, Doctor. So in hypoxic brain damage, it is the  
10 conventional medical understanding that the cerebral cortex  
11 suffers damage before the amygdala and the brain stem; correct?

12 A. That's correct.

13 Q. It is the first to fail; correct?

14 A. Death is not the first effect. Disorientation, other things  
15 go on before the --

16 Q. Excuse me, Doctor. It is the first to fail? The cortical  
17 cortex fails first?

18 A. The location of the first effect is on the cortex, yes.

19 Q. So in order for people to have a conscious experiential  
20 perception of noxious stimuli, you cannot confirm as a fact the  
21 amygdala can produce that perception without communicating with  
22 the cerebral cortex, can you?

23 A. There has to be interactions, yes, between different parts  
24 of the brain. Yes.

25 Q. And whether the cerebral cortex is the part of the brain

1 that allows for conscious experience, has that been firmly  
2 established to your satisfaction?

3 A. I'm sorry. Has the -- repeat the question again.

4 Q. Whether the cerebral cortex is the part of the brain that  
5 allows for conscious experience, has that or has that not been  
6 firmly established to your satisfaction?

7 A. I think that our experiences are interaction between  
8 different parts of the brain, and that includes the cortex.

9 Q. And you have done no brain imaging studies, have you,  
10 Doctor?

11 A. Personally, no.

12 Q. And you have never been a co-author of any brain study, have  
13 you, Doctor?

14 A. No.

15 Q. So putting those together, the cortex fails first in  
16 hypoxia. The cortical failure ends consciousness. Brain stem  
17 only function means no consciousness at all. You've agreed with  
18 each of those, haven't you, Doctor?

19 A. Other than the fact that the way you're stating it is an  
20 all-or-none sort of thing. So people go through stages before  
21 death occurs, so to speak, in any particular tissue.

22 Q. And so you have testified that you can't confirm that  
23 amygdala activity alone produces any conscious awareness without  
24 the cortex; correct?

25 A. I'm not aware of any specific studies on that, no.

1 Q. And so in your rebuttal report, paragraph six, you invoked  
2 the International Association for the Study of Pain's definition  
3 of pain. That's the rebuttal report, Plaintiff's Exhibit 41,  
4 paragraph six.

5 A. Is that the second 41 tab?

6 Q. Excuse me. Plaintiff's Exhibit 42, the first 42 tab.

7 A. Okay. And which paragraph are we in?

8 Q. And please look to paragraph 67 on page 3 of your rebuttal.

9 A. Okay.

10 Q. And the International Association for the Study of Pain  
11 defined pain -- or defines pain as, quote, An unpleasant sensory  
12 and emotional experience associated with or resembling that  
13 associated with actual or potential tissue damage, end quote;  
14 correct?

15 A. Yes.

16 Q. So the experience in the clinical sense that that definition  
17 is using implies some form of subjective awareness; correct?

18 A. That's not defined in that terminology, no.

19 Q. So it's not defined one way or the other. Is that fair?

20 A. Yes.

21 Q. And a fully unconscious person with no cortical functioning  
22 under that definition is not having a sensory and emotional  
23 experience in the way contemplated by the definition; correct?

24 A. So your question is if a brain is not functioning at all,  
25 can you have this experience? No. If we're saying, again, if

1 you're not awake, can you have this experience? I don't think  
2 this definition addresses that.

3 Q. Well, in the definition, it is a tool that's designed for  
4 use with patients who can report their experience; correct?

5 A. To measure a symptom, one has to have a report from the  
6 patient. To measure the impact of experiences as was  
7 represented in the studies of the patients on ventilators, they  
8 had that experience even during times when they can't tell you  
9 about it.

10 Q. And so the definition is not contemplating what a dying,  
11 unconscious person is experiencing; correct?

12 A. Again, depends on your use of the term unconscious. Again,  
13 I prefer unawake, and I think this doesn't address that. But,  
14 again, this was in response to something in the other expert's  
15 report, Dr. Antognini's report, so I just really was making the  
16 point here that by the -- he indicated that dyspnea is not pain,  
17 and by this definition of pain, dyspnea would be considered  
18 pain. You're extrapolating this from other issues. That was  
19 intended here specifically in response to one of the claims by  
20 Dr. Antognini.

21 Q. But the IASP definition, it's not designed to be asked of  
22 someone who has been rendered unconscious and subsequently  
23 passes away before ever regaining consciousness; correct?

24 A. I think this -- let me just check on this one second. I  
25 don't have the reference here. It's 2020, so this is before the

1 studies that we were talking about this morning, earlier this  
2 morning, on the ventilated patients. So it's a definition that  
3 comes before other data that inform us differently. And if one  
4 were making a definition now, it might be written in a different  
5 way. Medicine evolves.

6 Q. Would you make a definition to ask someone who has perished  
7 from unconscious --

8 A. The question is not whether someone who is dead can tell you  
9 about whether they had pain. The question is when somebody is  
10 in a dying process, are they experiencing suffering? And that's  
11 what we were -- I've been trying to address.

12 Q. So your opinion is that unilaterally the dying process for  
13 someone that wakes up -- that is -- that wakes up from this kind  
14 of experience is necessarily the same as someone who perishes as  
15 a result?

16 A. The experience -- while that -- for the person who died, the  
17 experience they had before they finally died may, yes, be very  
18 similar to the person who somehow survives and wakes up. Yes.

19 Q. And you have no way to know what that experience was prior  
20 to their death?

21 A. I don't need -- I don't have a way to know of that  
22 specifically for a given individual; but extrapolating, again,  
23 from the information we have from these ventilator studies, I  
24 think we can project that these patients would have  
25 experienced -- or these individuals would have experienced this

1 prior to their death.

2 Q. But you would agree with me, though, Doctor, that the  
3 extrapolation is necessarily different where it does not result  
4 in death versus a precipitous hypoxic situation that does result  
5 in death; correct?

6 A. I don't know that I'm making -- no. I'm not sure I would  
7 make that distinction.

8 Q. So they're the same in your expert opinion?

9 A. I think that someone who's going through a stage -- stages  
10 of an event and are suffering, and that ultimately leads to  
11 death for one person, but the other person for whatever reason  
12 is saved, that the experiences prior to that final event may  
13 well be the same. Yes.

14 Q. And you've cited studies in your rebuttal report that show  
15 dyspnea activities activate similar regions as pain; correct?

16 A. Yes.

17 Q. But you've never conducted such studies yourself; correct?

18 A. No. That's correct.

19 Q. And you've never co-authored any papers reporting the --

20 A. No. This is based on review of the literature. Yes.

21 Q. And you're not a neurologist?

22 A. No, I'm not.

23 Q. And you're not a neuroanatomist -- anatomist?

24 A. No.

25 Q. And you cannot give us the specific neural pathways that

1 would be involved in postural reflexing of the decorticate or  
2 decerebrate posturing; correct?

3 A. No.

4 Q. And you wouldn't be able to interpret EEG readings to  
5 distinguish between cortical and brain stem only functioning;  
6 correct?

7 A. No.

8 Q. And has the question of whether the cerebral cortex is  
9 required for conscious experience, has that been firmly  
10 established to your satisfaction?

11 A. There are a number of studies coming out now that are  
12 raising questions about that issue. I think that has been the  
13 historical view, though.

14 Q. And in your deposition, you actually testified that that  
15 question had not been firmly established.

16 A. And it hasn't been, but I've been seeing since the  
17 deposition additional articles that have come out that are  
18 talking about a more complex understanding of this process. And  
19 it is unsettled at this point, I would agree, but there is  
20 certainly interest in this issue with studies being done.

21 Q. And you've never observed any nitrogen hypoxia in any human  
22 subject; correct?

23 A. No, I have not.

24 Q. You've never observed a nitrogen hypoxia execution; correct?

25 A. That's correct.

1 Q. Have you observed any inert gas asphyxiation at all?

2 A. No, I have not.

3 Q. And in your clinical practice, you've actually never seen a  
4 patient arrive at the hospital with an oxygen saturation below  
5 around 80 percent; correct?

6 A. Other than in cardiac arrest patients.

7 Q. And your only publication directly addressing nitrogen  
8 asphyxiation as an execution method is the Breath to Death  
9 prospectus piece?

10 A. That is correct.

11 Q. Which is an advocacy piece; correct?

12 A. It was a piece designed to inform the public about the  
13 physiology -- the public -- the medical community about the  
14 physiology underlying these processes and what we believed to be  
15 the suffering experienced by people subjected to this process.

16 MR. BUTTS: Your Honor, may I have a brief moment to  
17 confer?

18 THE COURT: You may.

19 MR. BUTTS: Thank you, Your Honor.

20 (Brief pause in the proceedings.)

21 THE COURT: Thank you. Is now -- are you continuing?

22 MR. BUTTS: I just have a brief close, and then I'll --

23 THE COURT: Sorry. I thought you were finished. Go  
24 ahead.

25 Q. (Mr. Butts, continuing:) So, Doctor, just to clarify, your

1 best study is the Moosavi study; correct?

2 A. The best study demonstrating that hypoxia causes increase in  
3 ventilation and dyspnea, that is I think the best study that's  
4 been done, yes.

5 Q. And the Moosavi study contains no data on the unconscious  
6 phase of nitrogen asphyxiation; correct?

7 A. That is correct.

8 Q. And every PTSD study you rely on involved patients who  
9 survived and woke up to report the experience; correct?

10 A. That's correct.

11 Q. Which is necessarily unlike Mr. Lee after undergoing an  
12 execution.

13 A. It's unlike the fact that a person who's executed can't  
14 report it. It doesn't mean that the experience is dissimilar.

15 Q. And brain stem only functioning, there's no consciousness  
16 whatsoever; correct?

17 A. Brain stem only, yes, I would say that that's an appropriate  
18 way to describe it.

19 Q. And so in your own report, paragraph 37, you wrote that  
20 those movements, they could have occurred subconsciously or  
21 consciously; correct?

22 A. They could be consciously or unconsciously, yes.

23 Q. And you published your, quote, vehement opposition, end  
24 quote, to using inhaled nitrogen for execution, Defendants'  
25 Exhibit 29, before you were retained as an expert in this case;

1 correct?

2 A. That's correct, yes.

3 Q. And you have never met, examined, or reviewed any of Jeffery  
4 Lee's records individually; correct?

5 A. No, I have not.

6 MR. CLINE: Objection. This has been answered. He's  
7 going back over questions.

8 THE COURT: Yes. This feels like wrap up.

9 MR. BUTTS: Nothing further, Your Honor.

10 THE COURT: I want to give you an opportunity to  
11 redirect, but it is after 12:30, and we've been going for over  
12 an hour and a half. I feel like now is a good time to stop for  
13 a lunch break.

14 Is there any objection to stopping for a lunch break?

15 MR. CLINE: No objections, but just so you understand,  
16 I have one or two questions.

17 THE COURT: All right. Well, let's finish up with this  
18 witness, then.

19 MR. CLINE: Thank you.

20 REDIRECT EXAMINATION

21 BY MR. CLINE:

22 Q. Dr. Schwartzstein, you were asked some questions about what  
23 I believe was the Huang study. Do you remember those questions?

24 A. Could you refresh my memory? I'm sorry.

25 Q. Yes. I believe the Huang study was an MRI study --

1 A. Yes.

2 Q. -- that you were asked some questions by Mr. Butts about.

3 A. Yes.

4 Q. For your opinion on the experience of the unawake patients  
5 and what they can suffer, were you relying on that one study,  
6 the Huang study, or other studies?

7 A. I was relying on multiple studies. This was just one of  
8 them that had an interesting approach to demonstrate the  
9 neurological processing. But it's certainly not the only study  
10 that forms my opinions.

11 Q. And as part of your work on the European Respiratory Society  
12 consensus document, were you involved in this extensive  
13 literature review that you described earlier today?

14 A. Yes. Literature review and the ensuing discussions that  
15 occurred that were quite involved afterwards.

16 Q. And in the ERS consensus document that you were a co-author  
17 of, did that document discuss brain imaging studies and other  
18 data related to the unawake patient?

19 A. Yes, it did.

20 Q. And did you cite the ERS study in your expert report?

21 A. Yes.

22 MR. CLINE: No further questions, Your Honor.

23 THE COURT: Any recross?

24 MR. BUTTS: No further recross, Your Honor.

25 THE COURT: You can step down. Thank you, Doctor.

1           It is 12:35. I want to give everybody an opportunity  
2 to get something to eat and return. Is an hour enough time, or  
3 do you propose a different amount of time?

4           MS. SIMPSON: That's fine with us, Your Honor.

5           MS. SHARPE: That's fine, Your Honor.

6           THE COURT: All right. So we will start back at 1:35.  
7 All right. Have a good lunch. And just for your information,  
8 if you go down to the basement, there is a deli. You can get  
9 lunch there. If you don't want to leave the building and have  
10 the delay of coming in and out, that's an option for you. So  
11 have a good lunch.

12           (Recess was taken at 12:35 p.m. until 1:42 p.m., at which  
13 time the proceedings reconvened, as follows:)

14           THE COURT: All right. Welcome back.

15           I want to clarify my earlier ruling that -- on the  
16 motion to strike, I want to make sure my ruling was clear. I am  
17 striking any reference to the Huang study from 2018 and any  
18 specific testimony related to that specific study. So it's a  
19 narrow ruling, but it is specific to the study itself that was  
20 not provided. Is that clear?

21           MR. CLINE: Thank you, Your Honor.

22           THE COURT: Very good. The plaintiff will call its  
23 next witness.

24           MS. SHARPE: Thank you, Your Honor. I just wanted to  
25 introduce a colleague who's joined us at counsel's table, Tommy

1 Huynh, who has been admitted.

2 THE COURT: Good afternoon.

3 MS. SHARPE: And Plaintiff Jeffery Lee calls Dr. Julie  
4 Bastarache to the stand.

5 Your Honor, once again, we have witness binders for  
6 both the Court and opposing counsel, so may I approach?

7 THE COURT: Yes. Please. Thank you.

8 MS. SHARPE: Your Honor, I would just note that the  
9 witness binder contains one demonstrative that we previewed with  
10 opposing counsel and that they do not oppose, and the other  
11 exhibits are all plaintiff's exhibits which have been  
12 preadmitted.

13 JULIE BASTARACHE

14 The witness, having first been duly sworn to speak the  
15 truth, the whole truth and nothing but the truth, testified as  
16 follows:

17 DIRECT EXAMINATION

18 BY MS. SHARPE:

19 Q. Good afternoon. Could you please introduce yourself to the  
20 court.

21 A. Sure. Hi. I'm Julie Bastarache.

22 Q. And where are you employed, Dr. Bastarache?

23 A. I live in Nashville, Tennessee, and I work at Vanderbilt  
24 University Medical Center and also at the VA hospital in  
25 Nashville.

1 Q. What are your roles at Vanderbilt and at the VA?

2 A. Sure. So I'm a physician scientist, which means I'm a  
3 physician. I went to medical school. I see patients. But I  
4 also have a research laboratory where I study critical illness  
5 and lung issues. So I do both jobs.

6 Q. And do you also work in ICU?

7 A. Yes, ma'am.

8 Q. And with respect to your clinical work, in what areas of  
9 medicine do you practice?

10 A. I practice in pulmonary and critical care medicine.

11 Q. And can you describe what your medical practice actually  
12 involves.

13 A. Sure. So I spend about 25 percent of my time seeing  
14 patients, and that equates to about six weeks a year at our VA  
15 hospital right next to Vanderbilt. The bulk of that work is in  
16 the intensive care unit, but I do see some consult patients that  
17 have pulmonary issues and an occasional outpatient with  
18 pulmonary issues.

19 Q. And how do you spend the other 75 percent of your time?

20 A. Sure. So that's the scientist role. So I have a large  
21 research lab at Vanderbilt, and I study critical illness and  
22 sepsis and how that affects organs, like causing lung failure,  
23 brain failure, kidney failure, and then I also have some  
24 leadership roles at Vanderbilt. So that accounts for the other  
25 75 percent of the time.

1 Q. And can you describe your experience in the field of  
2 pathology.

3 A. Sure. So I do have an appointment. I'm also a professor of  
4 pathology. And that really -- I'm not a clinical pathologist,  
5 but my -- I do have clinical experience with pathology.

6 So I'll explain it a little bit. So my appointment as a  
7 professor in pathology is primarily related to my research role.  
8 Since I study lung injury and lung disease, that relates to  
9 pathology, so I have a lot of experience looking at mouse and  
10 human lung tissue from a research perspective.

11 Then from a clinical perspective, I have been to dozens of  
12 autopsies, I've read hundreds of autopsy reports, so I'm very  
13 familiar as a critical care physician in reviewing autopsy  
14 reports.

15 Q. And Dr. Bastarache, I am just going to, for the comfort of  
16 the court reporter, ask that you slow down a little bit. I know  
17 you naturally speak quickly from spending time with you.

18 A. My apologies.

19 Q. No, not at all.

20 In your treatment of patients, do you use the observation of  
21 lay witnesses such as friends and family members to inform your  
22 diagnoses and treatment?

23 A. Absolutely. That's actually central to being a physician,  
24 and particularly in the ICU where we can't talk to many of our  
25 patients. All doctors are trained to get information from

1 family, friends, the patient him or herself, take those  
2 observations, take those statements, and then make medical  
3 interpretations on those. So that's part of everyday practice.  
4 Most of my patients are not medically trained, so every day I'm  
5 interpreting lay witness observations about patients.

6 Q. And since you obtained your medical degree, can you estimate  
7 about how many patients you've treated with pulmonary issues?

8 A. Sure. So I've seen thousands of patients. I've been  
9 practicing pulmonary critical care for over 20 years, so  
10 thousands of patients.

11 Q. And in your clinical practice, do you regularly treat  
12 patients with dyspnea caused by hypoxia?

13 A. Yes. That's a very common thing that I treat as a pulmonary  
14 critical care physician.

15 MS. SHARPE: Your Honor, Dr. Bastarache is an expert in  
16 the field of pulmonology, critical care medicine, and pathology,  
17 and defendants have stipulated to her expertise.

18 THE COURT: She's accepted.

19 Q. Dr. Bastarache, what were you asked to do in this case?

20 A. Yes. So I was asked to review the eye witness reports of  
21 the nitrogen hypoxia executions, review the protocol that was  
22 used and also some testimony from prior hearings, and give my  
23 expert opinion as to the physiologic effects of hypoxia on the  
24 body. And by physiologic, I mean physical effects of hypoxia on  
25 the body that would be induced by this method. Also to make

1 some comments about time to loss of consciousness in these  
2 particular cases.

3 Q. And are all the opinions that you will be providing today be  
4 given to a reasonable degree of medical certainty?

5 A. Yes, ma'am, they are.

6 Q. And on what are you basing your opinions?

7 A. So primarily my education, training, and experience as a  
8 practicing pulmonary critical care physician. Learning about  
9 respiratory physiology is part of our education. I also have a  
10 few articles I've cited in my expert reports.

11 Q. And have you formed opinions in your review of this case  
12 about the physiological steps that lead to loss of consciousness  
13 during an execution by nitrogen gas?

14 A. Yes, ma'am, I have.

15 Q. And what are those physiologic steps?

16 A. Sure. So there are three main steps involved. The first is  
17 the amount of time that it takes from when the nitrogen starts  
18 flowing until the mask that is placed on the inmate's face is  
19 completely devoid of oxygen. So all the oxygen has been washed  
20 out. That's step one. The second step is the amount of time  
21 that it takes for the inmate to exchange all of the air in his  
22 lungs with the 100 percent nitrogen that's being breathed in.  
23 And then the third step is the amount of time it takes the blood  
24 to circulate and deliver oxygen throughout the body.

25 Q. You mentioned 100 percent oxygen. Is it your opinion that

1 the oxygen in the mask gets down to 100 percent?

2 A. It's the nitrogen.

3 Q. Thank you. I'm sorry.

4 A. I've done that myself.

5 So no. The lowest it came -- got down in Dr. Antognini's  
6 experiment was 2 percent. So it never got down to zero percent.

7 Q. And I want to walk through those steps with you in a little  
8 more detail. As we were just alluding to, the first step is the  
9 filling of the mask with nitrogen gas. Do you have an opinion  
10 about how long that takes?

11 A. Yes, ma'am. My opinion is that it takes about 33 seconds  
12 for the mask to fill with mostly nitrogen.

13 Q. And on what are you basing that opinion?

14 A. That was on Dr. Antognini's test where he tested the mask  
15 and how long it took to wash out all the oxygen.

16 Q. And what is your understanding of the test that  
17 Dr. Antognini conducted?

18 A. So based on the description from his expert report, what  
19 Dr. Antognini did was use the system that's used by the  
20 Department of Corrections. He placed a towel around the mask to  
21 simulate a seal as it would be around an inmate's face and then  
22 put an oxygen probe inside the mask to measure the oxygen  
23 concentration in the mask and then set a timer from when the  
24 nitrogen gas was turned on to calculate how long it took for the  
25 oxygen levels in the mask to drop.

1 Q. So the demonstrative that we have is actually an excerpt  
2 from Dr. Antognini's report. If we could pull that up. And  
3 that should be in your binder under a tab labeled demonstrative.

4 Dr. Bastarache, can you describe what is being displayed  
5 here.

6 A. Sure. So this is a simple table showing two things. It's  
7 showing the oxygen concentration on the top row -- that's the O2  
8 C-O-N-C, concentration -- and then the bottom row is time  
9 measured in seconds. So this is relating the concentration of  
10 oxygen measured in the mask from the time when the nitrogen gas  
11 was turned on.

12 Q. And to be clear, which oxygen reading are you relying on  
13 your opinion for the time that it takes for the mask to fill  
14 sufficiently with nitrogen?

15 A. Sure. So less than 5 percent oxygen is really where you  
16 start to get to critically low oxygen levels of inspired gas,  
17 and so I looked for the oxygen concentration to get below 5  
18 percent. The next reading is 3 percent, and that's  
19 33-and-a-half seconds, so that's where the 33 seconds came from.

20 Q. And are the lungs depleted of oxygen during the  
21 33-and-a-half seconds that the mask is filling with nitrogen?

22 A. They are -- they're slowly starting to deplete with oxygen  
23 during that time, but they are not able to fully deplete with  
24 oxygen until the oxygen concentration reaches 3 percent in that  
25 mask.

1 Q. And why is that?

2 A. It's because of the second step of the air going in and out  
3 of the lungs.

4 Q. And before we get to that second step, does this chart from  
5 Dr. Antognini's report inform your opinions in any other way?

6 A. Yes. So the other thing I looked at in this chart is at  
7 what point did the oxygen level get below 15 percent, which is  
8 right about the level of oxygen that -- when someone's breathing  
9 in that they start to feel dyspneic or air hunger.

10 So at 10 seconds, the oxygen is about 15 percent, and then  
11 at 14 seconds it's 10 percent. So somewhere between 10 and 12  
12 seconds after the nitrogen gas is turned on, the inmate will  
13 first start to experience that dyspnea or air hunger.

14 Q. And in your clinical experience, what is air hunger?

15 A. Air hunger is the primal urge to get more air. As we heard  
16 early this morning, the body has to have oxygen to survive, so  
17 when oxygen is not available for whatever reason, the body sends  
18 intense alarm signals and gives the sensation that the patient  
19 has to get a breath. So that extreme physiologic need to get  
20 more oxygen is what we call air hunger.

21 Q. So moving on to the second step, how does the oxygen in the  
22 lungs get replaced with nitrogen?

23 A. Sure. So the lungs have a lot of air in them, but each time  
24 we breathe in and out, we just breathe a small amount. So  
25 that's called the tidal volume, which we heard this morning. So

1 in order to replace all of that gas in the lungs with a  
2 different gas, a person has to breathe several times to exchange  
3 all of the air that's in the lungs with new air that is being  
4 breathed in.

5 Q. And are you able to estimate how many breaths that would  
6 require?

7 A. Yes. It does vary by age. So in younger men aged 20 to 30,  
8 that would be about six to nine breaths, which would take  
9 roughly 35 seconds. Older people, 50, 60, 70, takes a little  
10 bit longer, so nine to 11 breaths, about 45 seconds.

11 Q. And is there medical literature on which you rely for your  
12 opinion about the number of breaths it takes to replace the  
13 oxygen in the lungs with nitrogen?

14 A. Yes, ma'am, there is.

15 Q. And can you identify what that literature is.

16 A. Yes, ma'am. That was the study by Fowler in 1951.

17 Q. And how does that article support your opinion about the  
18 length of time that this oxygen to nitrogen replacement takes?

19 A. So the reason that this article helps me is because the  
20 article was answering this exact question: How long does it  
21 take a young, healthy -- and I'm still saying that 60 and 70 is  
22 young -- but a young healthy person to exchange all of the air?

23 So what the scientists did, they took ten healthy  
24 volunteers, all men in this age range, and had them breathe 100  
25 percent oxygen in this case, because you can't have someone

1 breathe 100 percent nitrogen. Had them breathe 100 percent  
2 oxygen and measured how long it took to completely get all of  
3 the nitrogen out of the lungs. So this study established that  
4 range of six to nine breaths for younger men and nine to 11  
5 breaths for older men.

6 Q. And was the Fowler article on which you're relying, was that  
7 published in the peer-reviewed medical literature?

8 A. Yes, ma'am, it was.

9 Q. Now, how does the time that it takes for the mask to fill  
10 with nitrogen correlate with the time that it takes to replace  
11 the oxygen in the lungs with nitrogen?

12 A. So those things are happening simultaneously; but as you  
13 note here, even at 23-and-a-half seconds, there's still 5  
14 percent oxygen in the mask. And even at these very low oxygen  
15 levels, hemoglobin, like we heard about this morning, is able to  
16 extract more and more oxygen from the limited oxygen supply in  
17 the lungs.

18 This is sort of analogous to mountain climbing. People who  
19 climb mountains are routinely exposed to oxygen in the  
20 single-digit percent, and hemoglobin has evolved to hold on to  
21 oxygen more tightly in those oxygen-poor environments. So while  
22 these two things are happening simultaneously -- the mask is  
23 getting depleted of oxygen and the air is getting exchanged in  
24 the lungs -- there's still very little overlap in those two time  
25 intervals because the oxygen really needs to get to 3 percent in

1 the mask and be fully exchanged in the lungs before there's  
2 critically low oxygen levels in the lungs themselves.

3 Q. So are these times additive?

4 A. Yes, they are additive.

5 Q. And then you testified previously that there is a third  
6 step, an additional step in the process. Can you explain in  
7 more detail what that third step involves.

8 A. Sure. So that third step is the way that the oxygen gets  
9 delivered to the body, and that's through blood flow; blood  
10 circulation on red blood cells. So the blood has to move  
11 through the lungs and then through the whole circulatory system  
12 to first pick up oxygen and then deliver oxygen, and that cycle  
13 takes time. So it takes up to 60 seconds for a red blood cell  
14 to circulate in the body and return back to the lungs and then  
15 takes an additional eight to 10 to 12 seconds to transit through  
16 the lungs themselves.

17 And importantly, when the first round -- let's say you go  
18 around one time. When the blood comes back to the lungs to get  
19 more oxygen put on, it still has 70 percent oxygen, so it still  
20 has a high level of oxygen. So the blood coming back to the  
21 lungs in a normal circumstance, someone breathing room air and  
22 not hypoxic, the hemoglobin just sort of gets topped up from 70  
23 percent to 100 percent. So it's going to take several cycles of  
24 the blood cycling through a nonoxygenated or low-oxygen  
25 environment in the lungs, delivering oxygen to the body, for the

1 hemoglobin in the red blood cells to get critically depleted of  
2 oxygen.

3 Q. And on what are you basing your opinions for the time period  
4 that it takes for the red blood cells to circulate through the  
5 body?

6 A. Yes. So this is fundamental physiology that I learned in  
7 medical school, residency, fellowship. So this is fundamental  
8 cardiopulmonary physiology about the transit time of red blood  
9 cells.

10 Q. And with respect to the time periods that we've been talking  
11 about, how does this third step correlate to the first two steps  
12 which you have testified are additive?

13 A. Again, this step is also additive because, like I said, the  
14 unique property of hemoglobin is that as the oxygen in the lungs  
15 gets scarcer and scarcer, the blood wants to hold on to oxygen,  
16 so binding gets tighter. So it really, again, takes getting to  
17 those very critical levels of oxygen in the air space before the  
18 blood is critically depleted, so the circulation time is also  
19 additive to the other two phases.

20 Q. And at what point in relationship to these three steps that  
21 you've outlined would a person then lose consciousness?

22 A. So those three steps would result in the blood being  
23 delivered to the brain having a critically low oxygen to the  
24 point where a person would lose consciousness.

25 Q. And based on these times, have you calculated as a

1 theoretical matter what would be an average time to loss of  
2 consciousness based on these three steps?

3 A. Yes. And it's important to note that these numbers are  
4 derived from idealized experiments -- experiments done in  
5 idealized conditions, so the real world condition is a little  
6 bit different. This really provides a theoretical framework for  
7 the length of time. So the length of time, adding those  
8 intervals together, is about two minutes and 18 seconds.

9 Q. And with respect to this estimate of two minutes and 18  
10 seconds under idealized conditions, would that time period be  
11 the same for every person in the same conditions?

12 A. No. So that really -- so that's really just an idealized,  
13 theoretical calculation based on the existing evidence and  
14 knowledge of physiology. Each patient is going to have a  
15 different experience in time to loss of consciousness. People  
16 vary in their sensitivity to hypoxia, their sensitivity to  
17 hypercarbia, the time it takes for them to pass out after  
18 getting hypoxic. So there's a lot of individual variability.  
19 So, again, that 02:18 is really just a theoretical time frame,  
20 illustrating those three critical pieces that need to happen,  
21 each of which takes time.

22 Q. And just based on this theoretical framework, in your  
23 opinion, what is the likelihood that it will actually take  
24 longer for this loss of consciousness in an execution  
25 setting?

1 A. Sure. So this theoretical framework is really getting close  
2 to the lower limit. This is never going to be zero, just  
3 because each of these things take time. So the best estimate to  
4 kind of the floor on how fast this can happen is probably about  
5 two minutes, so a few seconds shorter than the 02:18. But  
6 again, for a variety of reasons, the -- so some people will be  
7 in that two-minute range, but there's going to be a long tail of  
8 people that are going to have variable and lengthy -- longer  
9 times to loss of consciousness in the same scenario.

10 Q. Are your opinions about the time to loss of consciousness  
11 and the body is depleted of oxygen informed at all by your  
12 experience in the ICU?

13 A. Oh, absolutely. Yes, ma'am.

14 Q. And can you explain to the Court how your experience with  
15 intubation figures in.

16 A. Yes, ma'am. I'd be happy to. We heard a little about  
17 intubation earlier, and that's a situation where someone can't  
18 breathe, and they're about to die, and so we need to take over  
19 breathing.

20 What we do during the process of intubation -- and  
21 intubation is just that procedure of putting the breathing tube  
22 into the lungs. What we have to do in order to make that happen  
23 is we do something called rapid sequence intubation, which is we  
24 rapidly give the patient a high-dose sedative and a paralytic to  
25 make them calm and stop breathing and relax their muscles so we

1 can get that endotracheal tube into the wind pipe. During that  
2 period we -- there are several physicians and nurses in the  
3 room. The patient is hooked up to monitors, including pulse  
4 oximetry.

5       So I have lots of experience watching people who are not  
6 breathing at all; not getting any oxygen. And we watch -- we  
7 can see how fast the oxygen is going down by the pulse oximetry,  
8 similar to what the inmates are wearing. And it can take up to  
9 minutes for the oxygen levels to go down to really critically  
10 low levels. So it actually does take quite some time in the  
11 real world for oxygen levels to go down, even when someone's not  
12 breathing.

13 Q. The steps that we walked through in this theoretical two  
14 minutes and 18 seconds, do they tell you when inmates executed  
15 by nitrogen gas actually lost consciousness?

16 A. No. No, they don't. As I mentioned, that's really the  
17 theoretical framework for these time intervals. Determining  
18 loss of consciousness is a visual clinical determination, so the  
19 only way to determine when someone loses consciousness is to  
20 observe them or read a report of someone who has observed them  
21 and described what's going on. So the two minutes and 18  
22 seconds really doesn't have -- doesn't dictate when someone  
23 loses consciousness. It's more of a theory.

24 Q. And did you rely on eye witness accounts of executions by  
25 nitrogen gas to form your opinions in this case?

1 A. Yes, ma'am, I did.

2 Q. And what were you looking for specifically in those witness  
3 observations with respect to loss of consciousness?

4 A. So, again, we heard a little about it this morning, but  
5 there's -- I'm looking specifically for movements that the  
6 inmates are making. We classify movements into two different  
7 categories.

8 So the first is purposeful movements -- I know what I'm  
9 doing; I'm rolling over; I'm trying to sit up and breathe, so  
10 movements that have purpose -- versus unpurposeful movements  
11 like a seizure or that myoclonic jerking we heard about. So  
12 purposeful movements indicate consciousness, whereas  
13 nonpurposeful movements indicate loss of consciousness. So  
14 that's what I was looking for as I was reading these.

15 Q. Now, to the extent any of these eye witnesses provided their  
16 own assessment of when an inmate lost consciousness, are you  
17 relying on that eye witness's account of that?

18 A. No, not to the determination of loss of consciousness. So  
19 the purposes of what I was doing -- the determination of loss of  
20 consciousness really requires some clinical assessment and  
21 clinical judgment. And as we heard this morning, often people  
22 use that term, and it might mean that someone looks like they're  
23 asleep or they passed out. So the loss of consciousness  
24 determination is really my determination based on the movements  
25 I'm seeing, not relying on a witness to say, oh, that person

1 lost consciousness.

2 Q. And based on the eye witness accounts that you have  
3 reviewed, were you able to form an opinion as to the time to  
4 loss of consciousness in each of the executions that Alabama has  
5 conducted by nitrogen gas?

6 A. Not all of them. A few of them, I think two or three, I  
7 believe, just didn't have enough information in the description,  
8 and so I was not able to make an assessment as to time to loss  
9 of consciousness.

10 Q. Conversely, were there executions where you reviewed eye  
11 witness accounts and felt that you were able to render an  
12 opinion as to roughly when loss of consciousness occurred?

13 A. Yes, ma'am.

14 Q. Were you also able to form opinions based on these eye  
15 witness accounts as to what the inmates experienced during the  
16 executions by nitrogen gas?

17 A. Yes. So I was looking for signs of respiratory distress,  
18 dyspnea or air hunger, and so I was looking for those signs also  
19 in the descriptions of what the eye witnesses reported.

20 Q. And are there physical signs associated with air hunger that  
21 are observable?

22 A. Oh, absolutely. And as I mentioned, this is what I assess  
23 daily in the ICU. So there are physical signs, and those would  
24 include rapid breathing, trying to take a deep breath, being  
25 very fidgety in bed, trying to reposition, struggling, trying to

1 sit up to get more breath, shaking, sweating. So there are lots  
2 of signs that could reflect underlying air hunger from hypoxia.

3 Q. And in your opinion, have all of the inmates who have been  
4 executed to date by nitrogen gas, have they all shown signs of  
5 air hunger?

6 A. Yes, ma'am, they have.

7 Q. And have those signs been consistent?

8 A. No. Not everyone has to have every sign to display air  
9 hunger. People can vary in their outward display, so they're  
10 not all the same. But each of them had some of those -- at  
11 least some of those features of air hunger.

12 Q. And are your opinions as to the air hunger that these  
13 inmates suffered, are they based on any individual sign, or are  
14 they based on the constellation of circumstances and signs that  
15 you were observing from the reports?

16 A. Sure. So any sign or symptom, pretty much regardless, has  
17 to be taken in context of everything else that's going on. So  
18 it's really -- you know, it's really contextualizing these  
19 descriptions in what the actual circumstances are at the moment.

20 MS. SHARPE: Let's pull up Plaintiff's Exhibit 29,  
21 please.

22 Q. And that should be in your binder as well, Dr. Bastarache.

23 A. Yes, ma'am. I have it.

24 Q. I think we're waiting for it to come up on the screen.

25 Dr. Bastarache, can you identify this article for the Court.

1 A. Yes, ma'am. This is Nitrogen Gas Execution. Kenny Smith --  
2 Kenneth Smith Convulses for Four Minutes in Alabama Death  
3 Chamber.

4 Q. And who is the author of this article?

5 A. This was written by Marty Roney.

6 Q. And do you have an understanding of whether Marty Roney was  
7 a witness to Mr. Smith's execution?

8 A. It's my understanding that he was there during the  
9 execution.

10 Q. And how does Mr. Roney's account of Mr. Smith's execution  
11 support your opinions in this case with respect to loss of  
12 consciousness during this execution?

13 A. Yes, ma'am. So we can walk through this.

14 So the first thing I'm looking for to start the clock, so to  
15 speak, is the time that the nitrogen began flowing. And in this  
16 case, Mr. Roney says at 7:57 the nitrogen apparently began  
17 flowing.

18 And then in terms of assessing for consciousness, I'm  
19 looking for purposeful movements as we've discussed as I'm  
20 reading through this. And so the next time period, 7:57 to  
21 8:01, I noted several movements that were in my assessment  
22 purposeful movements. So writhing and convulsing on the gurney,  
23 his body shaking, his eyes rolling back, clenching his fists and  
24 legs, shaking under the sheet, are all evidence of conscious --  
25 purposeful movements in the effort to try to get more air.

1 Q. And based on Mr. Roney's observations and your  
2 interpretations of them, how long did it take for Mr. Smith to  
3 lose consciousness?

4 A. So in order to determine that, I looked for the last time  
5 that I saw a movement that I considered to be purposeful. And  
6 the next time frame here is 8:02, and after that I did not see  
7 any evidence of purposeful movements. So my time interval was  
8 7:57 to 8:01.

9 Q. And did any of the descriptions in this article indicate to  
10 you whether Mr. Smith was experiencing air hunger?

11 A. Yes, ma'am, they did. And there was some overlap between  
12 the two. Those would be taking deep breaths, body shaking, eyes  
13 rolling, gasping for air.

14 Q. Thank you, Doctor.

15 MS. SHARPE: Let's pull up Plaintiff's Exhibit 126.

16 Q. Dr. Bastarache, can you identify this article for the Court.

17 A. I'd be happy to. This is Witnessing Alan Miller's  
18 Execution: A Firsthand Account From Alabama's Death Chamber.

19 Q. And who is the author of this article?

20 A. This was written by Gladys Bautista.

21 Q. Do you have an understanding of whether Gladys Bautista was  
22 a witness to Mr. Miller's execution?

23 A. Yes, ma'am, it's my understanding that she was.

24 Q. And how does Ms. Bautista's account of Mr. Miller's  
25 execution support your opinions in this case with respect to

1 loss of consciousness during this execution?

2 A. Yes. So I went through a similar exercise. So in this  
3 report, at 6:18, it was noted that the gas started to flow.  
4 Then I looked for evidence of purposeful movements. And so at  
5 6:18, I noted purposeful movements, specifically lifting his  
6 head off the gurney; struggling against restraints. And those  
7 were described as continuing for two minutes. And then at 6:19,  
8 again, movements continued. And then the next conscious  
9 movement that I noted was at the 6:22 time period, head  
10 lifting -- lifting his head from the gurney. That is a  
11 conscious movement in my opinion.

12 Q. And that time period in which the head continued to lift  
13 from the gurney was what time period?

14 A. So the exact end of that is not clear. So starting at 6:22,  
15 it says the next two to three minutes, so up to 6:23, 24, 25.

16 Q. And based on Ms. Bautista's observations and your  
17 interpretation of them, about how long did it take for  
18 Mr. Miller to lose consciousness?

19 A. About seven minutes.

20 Q. Now, does Ms. Bautista also indicate that Mr. Miller  
21 appeared to lose consciousness earlier than that?

22 A. Yes, she does make that notation.

23 Q. Again, why do you disagree with that?

24 A. Because I see evidence of purposeful movements after that  
25 assessment by Ms. Bautista.

1 Q. Do any of the descriptions in Ms. Bautista's article also  
2 indicate to you whether Mr. Miller experienced air hunger during  
3 the execution?

4 A. Yes, ma'am, they do. And those would be taking deep  
5 breaths. Also lifting the head off the gurney, struggling  
6 against restraints, trembling and shaking. And then at 6:20,  
7 6:21, gasping for air.

8 MS. SHARPE: Let's pull up Plaintiff's Exhibit 127,  
9 please.

10 Q. And Dr. Bastarache, can you identify this article for the  
11 Court, please.

12 A. Yes, ma'am. This is "Alabama Executes Dale Grayson by  
13 Nitrogen Gas for 1994 Murder. Grayson was Convicted with three  
14 other men for the brutal slaying and mutilation of 37-year-old  
15 Vickie Lynn Deblieux."

16 Q. And who was the article -- pardon me. Who is the author of  
17 this article?

18 A. That would be Kent Faulk.

19 Q. And do you have an understanding of whether Kent Faulk was a  
20 witness to Mr. Grayson's execution?

21 A. Yes. It's my understanding that he was.

22 Q. And how does Mr. Faulk's account of Mr. Grayson's execution  
23 support your opinions in this case?

24 A. So the only thing I was able to get from this particular  
25 article was the time that the gas started flowing, and I put

1 that time at 6:12.

2 MS. SHARPE: Let's pull up Plaintiff's Exhibit 17,  
3 please.

4 Q. Dr. Bastarache, can you identify this document for the  
5 court, please.

6 A. Yes, ma'am. This is declaration of Dr. Brian McAlary.

7 Q. And is it your understanding that Dr. McAlary was a witness  
8 to the execution of Mr. Grayson?

9 A. Yes, ma'am. That's my understanding.

10 Q. And how does Dr. McAlary's account of Mr. Grayson's  
11 execution support your opinions?

12 A. Yes. So this allowed me to put a time interval on the loss  
13 of consciousness. So point 13 and point 15 are where I get the  
14 most useful information. So Dr. McAlary talks about the patient  
15 exhibiting significant anxiety and fear, including rapid eye  
16 movements and raising his head off the gurney. And simultaneous  
17 with that, in number 15, Mr. Grayson lifted both legs off of the  
18 gurney. I interpret those as purposeful movements. And  
19 Dr. McAlary says that these movements went on for approximately  
20 three to five minutes.

21 Q. And so based on these observations, do you have an opinion  
22 as to how long it took for Mr. Grayson to lose consciousness?

23 A. Yes, ma'am. Between three and five minutes.

24 Q. Do any of Dr. McAlary's descriptions indicate to you whether  
25 Mr. Grayson experienced air hunger during his execution?

1 A. Yes, they do, similarly in 13 and 15. So he's described as  
2 having an increased depth and rate of breathing, anxiety and  
3 fear, raising the head and the feet off the gurney, are all  
4 evidence of air hunger.

5 MS. SHARPE: And let's pull up Plaintiff's Exhibit 86,  
6 please.

7 Q. Can you identify this article for the Court, Dr. Bastarache.

8 A. Yes, ma'am. This is "Alabama Executes Gregory Hunt by  
9 Nitrogen Gas for 1988 Murder of Karen Lane."

10 Q. And do you have an understanding of whether this reporter  
11 was a witness to Mr. Hunt's execution?

12 A. Yes, ma'am. It's my understanding that this writer was  
13 there.

14 Q. And if you flip to the second page, I'm going to ask you to  
15 assume, based on documents that have been produced in this case,  
16 that the nitrogen gas began to flow at 5:55. Taking that into  
17 account, how does this --

18 MR. THOMPSON: Objection, Your Honor. There's been no  
19 foundation laid that the gas did, in fact, flow at 5:55 p.m.,  
20 and Dr. Bastarache has no personal knowledge of when that would  
21 have begun.

22 MS. SHARPE: I'm asking the witness to assume at 5:55.  
23 Not that it's an actual fact, but asking that as an assumption  
24 that she make for purposes of her calculations. If it's later  
25 shown that it's different, we can reexamine, but I'm just asking

1 her to use that time in order to derive an interval, not to take  
2 it as an actual fact in the case.

3 THE COURT: Overruled. You can continue.

4 A. Thank you. I'm sorry. I'll let you ask the question.

5 Q. Yes, Dr. Bastarache. I was asking you to assume that the  
6 nitrogen gas starts to flow at 5:55. Taking that as an  
7 assumption, how does this reporter's account of Mr. Hunt's  
8 execution support your opinions in this case with respect to  
9 loss of consciousness?

10 A. Sure. So I noted at 5:57 that Mr. Hunt was lifting his head  
11 off the gurney and he jerked his left knee up and his body  
12 shook. And then at 5:59, lifting head and feet into the air.  
13 And then at 6:01, the left fist was balled tightly. And that  
14 was the last conscious movement that I noted at 6:01.

15 Q. Based on this reporter's observations and your  
16 interpretations of them, how long did it take for Mr. Hunt to  
17 lose consciousness during this execution?

18 A. That would be 5:55 to 6:01, which would be approximately six  
19 minutes.

20 Q. And do any of the descriptions in this article indicate to  
21 you whether Mr. Hunt experienced air hunger during his  
22 execution?

23 A. Yes, ma'am, they do. So at 5:57, breathing more heavily and  
24 lifting his head off the gurney and also his knee. Lifting head  
25 and feet off the gurney at 5:59. At 6:01, breathing deeply.

1 Those are all signs of air hunger.

2 Q. Dr. Bastarache, can you explain to the Court how it is that  
3 you've calculated a theoretical number of two minutes and 18  
4 seconds or so from a physiological standpoint for loss of  
5 consciousness to occur and opined that the executions that  
6 you've reviewed have taken up to seven minutes? Can you explain  
7 how you reconcile those numbers?

8 A. Sure. Absolutely. So that physiologic basis is really a  
9 theoretical basis based on idealized experimental conditions.  
10 And as I mentioned, although it's an average of a few people, it  
11 sort of represents the floor.

12 I think there are a couple of factors that could have  
13 prolonged this or could have explained a longer time period than  
14 that, one of which is that I never saw -- I didn't see evidence  
15 that the nitrogen that was being breathed in ever got to 100  
16 percent. So even after 45 seconds, there was still some oxygen  
17 going into the lungs. So that would make the time longer.

18 Also, individuals vary greatly in how they're going to  
19 respond. In each of those physiologic steps, there's wide --  
20 there's variation only out to the longer side, again, we talked,  
21 not to the shorter side. Just like people can run at different  
22 speeds, there's variation.

23 And then finally, we talked a little this morning about the  
24 breathing being restricted. So if the inmates aren't able to  
25 breathe deeply, that would also prolong the time.

1           So I think those factors are what explain the difference  
2 between the theoretical calculation based on idealized  
3 experiments and what we see in real life.

4 Q. We saw in some of the articles descriptions that the inmates  
5 took deep breaths. Can you explain how that squares with your  
6 opinion that you offered with respect to this chest restraint  
7 and their ability to breathe deeply.

8 A. Sure. So taking a deep breath can be really very variable.  
9 So as we're all sitting here breathing quietly, we're taking  
10 pretty small breaths. But when I see someone take a deep breath  
11 or when a person is witnessed to take a deep breath, that breath  
12 can be twice the size of our normal breath or five times the  
13 size of a normal breath. So there's a wide variation. So while  
14 these inmates were able to breathe more deeply, based on the  
15 restriction on their chest, they weren't able to maximally take  
16 the deepest breath that they could.

17 Q. Dr. Bastarache, before we move on, can you please share with  
18 us, what are your personal views of the death penalty?

19 A. My personal views are that I'm opposed to the death penalty.

20 Q. And do those personal views affect the opinions that you're  
21 offering in this case whatsoever?

22 A. No, ma'am, they don't.

23 Q. I want to switch gears a bit and talk about your opinions in  
24 this case with respect to pathology and autopsies. You  
25 testified earlier about your experience in those areas, and I

1 wanted to ask, in forming your opinions in this case, did you  
2 review autopsy reports from prior nitrogen hypoxia gas  
3 executions to form your opinions?

4 A. Yes, ma'am. I had the opportunity to review four autopsy  
5 reports.

6 Q. And which autopsy reports were those?

7 A. Those were Boyd, Grayson, Miller, and Smith.

8 Q. And what did you observe, if anything, that was notable  
9 about these autopsy reports?

10 A. The thing that most stood out to me was all four autopsies  
11 were described -- the lungs were described as having pulmonary  
12 edema, and that's an abnormal finding. So that struck me that  
13 they all had pulmonary edema.

14 Q. What is pulmonary edema, Doctor?

15 A. Pulmonary edema is when the lungs start to fill with fluid.  
16 Normally the barrier -- the cell barrier between the blood and  
17 the air space, the air sacs, is very, very tight to keep the  
18 lungs dry. We need to have dry lungs in order to exchange gas.  
19 If that becomes disrupted, the lungs can fill up with fluid, and  
20 then oxygen and carbon dioxide can't be exchanged. So pulmonary  
21 edema is really the lungs filling up with fluid.

22 Q. And do you treat patients with pulmonary edema?

23 A. Yes, ma'am.

24 Q. And what does the presence of pulmonary edema in the autopsy  
25 reports of these inmates indicate to you with respect to

1 nitrogen asphyxiation as an execution method?

2 A. Absolutely. So when I saw the pulmonary edema, I went  
3 through the exercise of trying to figure out, well, why is there  
4 pulmonary edema? Pulmonary edema -- and, again, this is the --  
5 one type of pulmonary edema is the type that I've been studying  
6 in my lab for 20 years. That's called acute respiratory  
7 distress syndrome. And that type of pulmonary edema, there's  
8 actually injury to the cells that line both the blood vessels  
9 and the air sacs. That pulmonary edema happens in response to  
10 something else. A pneumonia, sepsis. And then over days, the  
11 ARDS or acute respiratory distress syndrome can develop.  
12 Obviously, that was not what happened here, because this  
13 pulmonary edema developed very quickly.

14 So I ruled out ARDS or what we call noncardiogenic pulmonary  
15 edema, and that left the other type of pulmonary edema, which is  
16 cardiogenic pulmonary edema. In that type of pulmonary edema,  
17 the lung structure remains completely intact, but the pressure  
18 in the blood vessels in the lungs gets higher than the pressure  
19 of the air in the air sacs. So the plasma from the blood is  
20 essentially squeezed or pushed into the air sacs because of  
21 pressure changes. When that type of pulmonary edema happens, we  
22 call it cardiogenic, because it happens because of heart or  
23 circulatory system problems.

24 One common way that people get noncardiogenic pulmonary  
25 edema is acute heart attacks. I ruled out heart attack as a

1 cause because although heart attacks can cause pulmonary edema,  
2 the formation of pulmonary edema would have to occur over  
3 several minutes to hours and not seconds to minutes as it did  
4 here.

5       So that really left me with one type of pulmonary edema, and  
6 we call that flash pulmonary edema. The reason we call it flash  
7 pulmonary edema is because it happens almost instantaneously,  
8 and it happens as a result of sudden increase in blood pressure  
9 in the circulation. So sudden increase of blood pressure in the  
10 body translates back into the lungs and suddenly increases the  
11 blood pressure in the lungs, leading to fluid rushing in to the  
12 air spaces.

13       So it's my opinion that more likely than not, flash  
14 pulmonary edema is the cause of the pulmonary edema that I saw,  
15 that I noted on these autopsies.

16 Q. And how does the presence of flash pulmonary edema in the  
17 autopsies of inmates executed by nitrogen gas correlate at all  
18 with dyspnea or air hunger?

19 A. So as you pointed out, I treat people that have pulmonary  
20 edema from both causes. And just having extra fluid in the  
21 lungs or pulmonary edema makes it extremely difficult to  
22 breathe. Suddenly these light, pliant, spongy lungs are heavy  
23 and filled with fluid. So when fluid rushes into the lungs, it  
24 becomes very, very hard to breathe. In fact, when we see  
25 patients with severe pulmonary edema, that's the situation where

1 we have to intubate them. So it can cause significant dyspnea.  
2 So I think it's additive to the hypoxia that causes dyspnea.

3 Q. Do you have an opinion as to when during these executions  
4 these inmates would have developed flash pulmonary edema?

5 A. Yes, ma'am, I do. These would have had to happen very early  
6 on in the executions, during the time when air hunger was  
7 occurring and reaching its peak. The reason for that is because  
8 it takes really extreme high levels of blood pressure to get  
9 fluid into the lung like this. And by the time an inmate loses  
10 consciousness and transitions into agonal breathing, heart rate  
11 and blood pressure are very, very low. So these -- the  
12 pulmonary edema had to develop early on during the execution.

13 Q. And is it your opinion that the inmates were likely  
14 conscious when the pulmonary edema developed?

15 A. Yes, ma'am. Based on timing.

16 Q. To sum up, Dr. Bastarache, what are your conclusions about  
17 Alabama's use of nitrogen gas as a method of execution from a  
18 physiological perspective?

19 A. Sure. So from a physiological perspective, this forced  
20 nitrogen hypoxia causes extreme air hunger that lasts up to  
21 minutes, during minutes of consciousness, and also it's a  
22 physiologic stress that's above and beyond just the nervousness  
23 and the stress of being executed. So it's this intense  
24 physiologic stress that causes intense suffering in the moments  
25 before death.

1 Q. Thank you, Dr. Bastarache.

2 MS. SHARPE: I'll pass the witness.

3 THE COURT: Cross-examination.

4 MR. THOMPSON: Your Honor, permission to approach to  
5 hand the witness a binder?

6 THE COURT: Yes, you may.

7 MR. THOMPSON: We have one for you as well.

8 Your Honor, there are two tab 34s that represent  
9 Defendants' Exhibits 34 and 35, but I'll make sure that everyone  
10 is clear where we're at if that distinction becomes relevant.  
11 And we apologize for the error.

12 MS. SHARPE: Can I just ask, do the tabs correlate with  
13 your --

14 MR. THOMPSON: Yes, they do.

15 MS. SHARPE: Your Honor, for the Court's benefit, I  
16 will just note that we don't have objections to the preadmission  
17 of the exhibits that have been designated for Dr. Bastarache's  
18 cross-examination.

19 THE COURT: All right. So those are Defense Exhibits  
20 21 through 32, 34, 35, 41, 43, and 45?

21 MR. THOMPSON: Yes, Your Honor.

22 THE COURT: All right. Those are admitted.

23 CROSS-EXAMINATION

24 BY MR. THOMPSON:

25 Q. Dr. Bastarache, there was some discussion on direct about

1 this two minute and 18 second time estimate that you've come up  
2 with, and it started with the 33 seconds estimate to get the  
3 oxygen saturation level in the mask environment to  
4 approximately 3 percent; is that correct?

5 A. Yes, sir.

6 Q. And then you had the second step, which was 35 seconds for a  
7 patient or a person in their twenties and thirties for the lungs  
8 to completely -- for nitrogen to completely displace the oxygen  
9 in the lungs, and then anywhere from 30 to 60 seconds from that  
10 point when oxygen saturation in the lungs had reached zero or  
11 approximately zero for loss of consciousness for a total of two  
12 minutes and 18 seconds. Is that a fair summary?

13 A. Yes. And one other point was for older people, it's 45  
14 seconds to exchange gas. But, yes, that's where I get those  
15 intervals.

16 Q. So for someone in their twenties and thirties, your estimate  
17 would be two minutes and 18 seconds. For someone in their  
18 fifties and sixties, that time frame jumps up to two minutes and  
19 18 seconds. Is that a correct distinction?

20 A. It's whatever those add up to. I'd need a calculator to add  
21 those up. I can't do that in my head.

22 Q. Sure. I won't make you do math. But there's a ten-second  
23 difference at step two, based on the age of the person that  
24 we're talking about. Is that fair?

25 A. Yeah, that's fair. And, again, these are theoretical

1 numbers based on idealized conditions.

2 Q. Sure. On that point, you said that this was, as you just  
3 said, the lower bound of your theoretical estimate; is that  
4 correct?

5 A. Close to the lower bound, yes.

6 Q. How does that statement account for the fact that you are  
7 describing these time estimates as additive as opposed to  
8 overlapping in some significant way?

9 A. I guess I'm not sure I'm understanding the question.

10 Q. In other words, as I understand that two minute and 18  
11 second estimate, you are taking as a given that there is either  
12 no displacement going on as the mask is filling with nitrogen or  
13 a negligible amount.

14 A. I think what I said was a little bit different. So these  
15 things are happening, but they have to -- the -- in terms of the  
16 concentration of oxygen in the mask and exchanging it in the  
17 lungs, the oxygen in the mask has to reach that critical level  
18 before it can exchange. So there's a slight amount of overlap,  
19 but the overlap in the time intervals is negligible.

20 Q. But this is -- I understand it's a theoretical baseline, but  
21 it is drawn from cardiovascular pulmonology first principles.

22 Is that fair?

23 A. Yes. From knowledge of cardiopulmonary physiology and from  
24 some experimental studies, yes, sir.

25 Q. So what we're talking about is an opinion that you have

1 offered that in this setting, a nitrogen hypoxia execution,  
2 there will invariably be an upward departure from that  
3 theoretical estimate; is that correct?

4 A. No, I don't think so. I didn't say invariably. I think two  
5 minutes is kind of the lower limit, and the four I reviewed were  
6 longer than that.

7 Q. But do you think that that upward deviation would apply in  
8 this case?

9 A. There's no way in any one particular person to predict the  
10 time to loss of consciousness in this scenario.

11 Q. So, then, in what way -- how do we make effective use, then,  
12 of the clinical experience that you have discussed, the lay  
13 witness accounts that you have discussed, as a deviation from  
14 this two minute and 18 second hypothetical time frame?

15 A. Again, I don't see it as a deviation. They're two separate  
16 issues. So the two minutes and 18 seconds, like I said, is  
17 theory in young healthy people in idealized circumstances,  
18 breathing 100 percent nitrogen, not having restricted chests.  
19 And even within the subjects in that study, there's a few  
20 seconds of variation in those measurements. So I don't see it  
21 as a deviation.

22 Q. How would you describe it, then?

23 A. I would describe it as the two minutes and 18 seconds is the  
24 theoretical time frame based on the studies and cardiopulmonary  
25 physiology. What happens in real life and not in these

1 idealized circumstances is what we witnessed in the four  
2 executions that I reviewed.

3 Q. So staying on that track, you said you reviewed, among  
4 others, the autopsy of Kenny Smith; is that correct?

5 A. Yes, sir.

6 Q. And you said, I think -- and please correct me if your  
7 understanding is different -- that there was a finding of  
8 pulmonary edema in those four cases; is that correct?

9 A. Yes, sir, there was.

10 Q. Did the medical examiner make a specific finding of  
11 pulmonary edema, or are you taking the findings that were made  
12 and applying your own, I guess, view on it to come to a  
13 conclusion that those subsidiary findings support your ultimate  
14 conclusion that there was pulmonary edema?

15 A. I can't remember word for word all the autopsies. I know  
16 some of them specifically said pulmonary edema. Some described  
17 the lungs as being heavy, and the lungs get weighed during an  
18 autopsy. So either one of those is sufficient for me to make  
19 the assessment as to whether or not there's pulmonary edema. So  
20 I don't recall specifically which autopsies said the words  
21 pulmonary edema or which just had heavy lungs, but both are the  
22 same to me.

23 Q. So what would you expect lungs to weigh if they were heavy  
24 in such a way that would suggest there was pulmonary edema?

25 A. So they would be a few hundred grams more than what a normal

1 lung -- a nonpulmonary edematous lung would weigh.

2 Q. And how do you determine what a normal lung should weigh in  
3 a particular case?

4 A. You know, I'd have to look it up. There have been autopsy  
5 studies historically that report normal lung weights for people  
6 of different heights. I don't recall off the top of my head  
7 what a normal lung weight would be for men in this age group.

8 Q. But your opinion is that these lungs were heavy, though; is  
9 that correct?

10 A. Again, without reviewing the four statements, I can't  
11 remember exactly if they all four said specifically pulmonary  
12 edema, or if some just said heavy lungs with lung weight. But,  
13 again, they would be equivalent to me.

14 Q. Do you recall if any of the autopsies you reviewed made a  
15 finding of flash pulmonary edema?

16 A. No, they didn't, and I wouldn't expect that. Flash  
17 pulmonary edema is a clinical diagnosis, so that's something  
18 that requires clinical -- it's a clinical diagnosis, not a  
19 pathologic diagnosis. The pathologic diagnosis is pulmonary  
20 edema, and then the clinical diagnosis would be flash pulmonary  
21 edema or one of the other causes of pulmonary edema. So I  
22 wouldn't expect a pathologist to note flash pulmonary edema on  
23 autopsy.

24 Q. Is there any way to make a determination of whether flash  
25 pulmonary edema existed in that context, a forensic postmortem

1 examination?

2 A. Without any knowledge of the situation preceding that, if  
3 you just had the postmortem exam, there's no way to determine  
4 that. The only thing that could be determined is the presence  
5 of pulmonary edema, and it requires understanding of what  
6 preceded the autopsy to determine what caused the pulmonary  
7 edema.

8 Q. So what sort of information would you need to know in  
9 addition to what you had in front of you to make that  
10 determination in a clinical setting?

11 A. In these circumstances, I don't need any additional  
12 information. I walked through my reasoning to getting to flash  
13 pulmonary edema. That's really only -- really the only likely  
14 cause in this particular circumstance.

15 Q. Talking a little bit about the lay witness accounts that you  
16 relied on in forming your opinion, and I just want to make sure  
17 that I'm clear on what your testimony is. You said that you did  
18 rely on the eye witness accounts, but that you weren't -- that  
19 you were also relying on what you saw. Can you clarify the  
20 distinction there for me, and what it is you are relying on and  
21 what you meant by both of those?

22 A. I'm sorry if I was confusing.

23 The eye witness reports, I was looking for their  
24 description -- the eye witness description of what was going on  
25 with the inmate during the execution. So their movements and

1 breathing patterns, et cetera. If I said -- if I used the word  
2 saw, that -- I didn't see anything. I'm reading what they're  
3 reporting.

4 Q. So you did not witness any of the past nitrogen hypoxia  
5 executions in Alabama that you've mentioned in your report?

6 A. No, sir. I did not personally witness them.

7 MR. THOMPSON: Your Honor, may I have just a moment to  
8 get the plaintiff's exhibits binders?

9 THE COURT: You may.

10 Q. Doctor, do you still have the black binder in front of you?

11 A. Yes, sir, I do.

12 Q. Could you turn, please, to Plaintiff's Exhibit 29.

13 A. Yes, sir. I'm at 29.

14 Q. So this is the Montgomery Advertiser article written by  
15 Mr. Roney about Kenneth Smith's execution. Can you tell --

16 You testified, I believe, on direct that you saw purposeful  
17 movement up until I believe it was 8:01; is that correct?

18 A. Yes, sir.

19 Q. So which -- in the -- if you turn to page 2 of 4.

20 A. Okay. I'm here.

21 Q. The second heading, 7:57 to 8:01 p.m. Can you identify for  
22 me in that paragraph the last purposeful movement that you  
23 believe is reflected there.

24 A. Well, all of these are described together, and the time  
25 frame is 7:57 to 8:01. So I can't make an assessment -- the way

1 I'm reading this, these were all occurring during that time  
2 window. So I don't know if by last you mean last in time. I  
3 can't make that assessment with this description.

4 Q. Chronologically in the paragraph.

5 A. Oh, in the paragraph -- not chronologically in time but in  
6 the paragraph?

7 Q. Certainly. Yes.

8 A. Okay. I got it. Clenching his fists.

9 Q. That was the last purposeful movement in your opinion?

10 A. As it's written in this paragraph. But, again, there were  
11 other purposeful movements described in the paragraph in that  
12 time window.

13 Q. So contextually, what about the other information provided  
14 in this article informs your opinion that clenching fists  
15 between 7:57 and 8:01 p.m. was a purposeful movement indicating  
16 consciousness?

17 A. Because fist clenching is a conscious movement.

18 Q. Categorically?

19 A. No, I would not saying anything categorically. As I  
20 mentioned earlier, we take all signs and symptoms in context.  
21 So in this context, fist clenching, in my opinion, is a  
22 conscious movement.

23 Q. So I guess consciousness is a holistic assessment?

24 A. I guess in the sense that it requires understanding of the  
25 context and using all available information.

1 Q. Are you aware that there are ADOC witnesses who have spoken  
2 to their observations about each of these executions and what  
3 they observed relating to consciousness and movements of the  
4 condemned?

5 A. I might have seen those in some trial testimony, but I  
6 don't -- I'd have to look at them again to refresh my memory.

7 Q. Did you take any of those accounts into account in forming  
8 your own opinion about which of these were purposeful movements  
9 and when your best guess is as to when Mr. Smith and the other  
10 past condemned lost consciousness?

11 A. No. I relied on the media reports, the eye witness reports  
12 for those.

13 Q. Do you know if any of those media witnesses knew the precise  
14 moment when the gas started flowing?

15 A. I don't know if they knew or not.

16 Q. Do any of these time stamps in the Montgomery Advertiser  
17 article about Kenneth Smith's execution denote what second in  
18 the minute the -- I guess the time frame is referring to?

19 A. No, sir, they don't. It's just the minute.

20 Q. So it could be 7:57:59 to 8:01:01?

21 A. Yes, it could be.

22 Q. So on that front, it is nonspecific?

23 A. Well, it has a range of one minute -- I mean, there's a  
24 60-second range within each one-minute interval.

25 Q. Sure. But your estimate of four minutes assumes that the

1 nitrogen started flowing at 7:57:00, and that loss of  
2 consciousness occurred at 8:01:00. You know, it could be as  
3 short as three minutes, based solely on the facts that you have  
4 presented -- or the facts that you have testified to on direct.

5 A. That's why I called it an estimate, because there -- as you  
6 pointed out, there's not enough precision to get more than an  
7 estimate.

8 Q. Do you know if any of these media witnesses, these lay  
9 witnesses, have medical training?

10 A. I don't know that.

11 Q. Does that factor into your assessment of their accounts of  
12 these executions?

13 A. No, not at all.

14 Q. So the -- you don't adjust your interpretation of facts  
15 reported by a lay observer versus somebody with some level of  
16 medical training?

17 A. No, not for observable characteristics. As I mentioned,  
18 this is what I do every single day in the ICU. We have a  
19 patient come in that can't speak to us, and I have to talk to  
20 the family members, and they report to me what the -- what's  
21 going on with the patient, and then I make a medical assessment.  
22 So a medical resident or a mother are equally capable of  
23 providing an assessment of what they're observing, and then I  
24 make the medical assessment based on what the witness is telling  
25 me.

1 Q. Is that an active dialogue between you and the person  
2 reporting the facts?

3 A. Sometimes it is and sometimes it's not. It really just  
4 depends on the situation.

5 Q. Were you able to have any sort of follow-up discussion with  
6 any of these lay witnesses about what they saw?

7 A. No, sir, not in these cases.

8 Q. To clarify something like -- actually, would you please turn  
9 to Plaintiff's Exhibit 126.

10 A. Okay. I am at 126.

11 Q. And I apologize, Doctor. I am leading you on a goose chase.  
12 Can we go back to 29?

13 A. Yes, sir. I'm at 29.

14 Q. So when you say that -- when you see it in the paragraph  
15 under 7:57 to 8:01 p.m., quote, he took deep breaths, you were  
16 interpreting that as purposeful movement?

17 A. No, sir. The deep breaths is a sign of air hunger. That is  
18 not a sign of -- what I use as a sign for purposeful movement.  
19 The way that breathing is occurring is not -- I'm not able to  
20 use that to assess level of consciousness.

21 Q. Body shaking violently.

22 A. Yes, that could be a conscious movement.

23 Q. Could be? Does that mean it could also not be?

24 A. In this circumstance, because he's at the same time  
25 displaying other conscious movements, I interpreted that

1 movement as a purposeful movement.

2 Q. So would you interpret the word -- or would you accord the  
3 same weight to a lay person's description of the condemned as  
4 moving violently, versus they raised their legs, you know,  
5 quickly in a ten-second period? I mean, I guess what I'm asking  
6 is, how do you account for the natural editorializing that goes  
7 with this sort of account?

8 A. So, again, this is how -- this is what I do every day in the  
9 ICU. And, yes, I do sometimes get the opportunity to talk to  
10 families and patients, but sometimes I'm just provided written  
11 information. And it's my job to take all of those pieces of --  
12 all of those data points that I'm given and then interpret them  
13 in context and make a clinical assessment. And people have  
14 different ways of describing things. So this is just part of my  
15 everyday clinical practice, interpreting comments like this.

16 Q. Do you know how Mr. Roney would naturally describe something  
17 like this?

18 A. I'm guessing he would describe it the way he described it.  
19 He wrote this article, so I think it's his description of what  
20 was happening.

21 Q. Sure. Two different people can say violently and mean  
22 completely different things; right?

23 A. Absolutely.

24 Q. There are inherent restrictions, in other words, on this  
25 sort of -- using this sort of basis to make medical

1 determinations. Is that fair?

2 A. I would say that that's not fair, because this is -- again,  
3 this is everyday medical practice, is using eye witness  
4 observations to make assessments about what's going on.

5 Q. But I guess -- just sort of where I'm going with this is  
6 you're not just using eye witness accounts to make medical  
7 determinations. You are using eye witness accounts to double  
8 your theoretical estimate from the time the nitrogen starts  
9 flowing to the time of loss of consciousness.

10 A. So let me just clarify. So the two minutes and 18 seconds,  
11 as I mentioned, is theoretical based on what we've talked about  
12 a few times. The actual determination of loss of consciousness  
13 really does not have any bearing on the theoretical. You can  
14 only make the determination of loss of consciousness in each  
15 individual case by looking at the circumstances. So the two  
16 minutes and 18 seconds, again, is just a theoretical framework  
17 to establish the time intervals, but the actual determination of  
18 loss of consciousness is a clinical determination requiring  
19 descriptions like this.

20 Q. Descriptions like what? I'm sorry.

21 A. Descriptions that we're talking about from the lay  
22 witnesses.

23 Q. So then what benefit, if any, is the theoretical  
24 determination if a Montgomery Advertiser article for whom you  
25 are not able to go back and forth with Mr. Roney informs your

1 decision or your opinion, rather, about the time to loss of  
2 consciousness?

3 A. Sure. So I think it is important to -- when I was forming  
4 my opinions, I thought it was important to really -- to go  
5 through the exercise of what we understand about physiology and  
6 the steps that were happening. But, again, for the reasons that  
7 we've talked about -- again, the fact that the nitrogen never  
8 gets to 100 percent in the mask, individual variability, et  
9 cetera -- that time frame can vary, and the two minutes and 18  
10 seconds is just, like I said, a theoretical time frame.

11 Q. So you just said that oxygen never got to zero percent  
12 saturation in the mask?

13 A. That's correct.

14 Q. What is the basis for that statement?

15 A. Dr. Antognini's experiment.

16 Q. Would you agree or disagree with the statement that as you  
17 get closer and closer to zero percent oxygen saturation, that  
18 the reliability of instruments that measure oxygen saturation  
19 become less and less reliable?

20 A. I'm not sure what type of instrument was used to measure  
21 oxygen here, so I can't comment on the reliability at low  
22 levels. There are instruments that can measure down to zero. I  
23 don't know what was used here.

24 Q. Switching gears a little bit, Doctor, is it your opinion  
25 that a patient's subjective reporting of any symptoms of dyspnea

1 are always consistent with their oxygen saturation levels at the  
2 time that they're reporting those symptoms?

3 A. No. There are several factors that can make a person  
4 dyspneic, so it's not always related to the oxygen.

5 Q. What are some of those factors?

6 A. So if you exercise and you increase the amount of carbon  
7 dioxide that your body is producing, that will make you breathe  
8 faster. If you get pneumonia and have a fever, your fever makes  
9 you breathe faster, and you would feel dyspneic. If you have  
10 obstruction in your airways, like you have asthma, that could  
11 make you feel dyspneic. If your chest were restricted for some  
12 reason and you couldn't take a deep breath, that could make you  
13 feel dyspneic. So there are a wide variety of things that could  
14 make someone feel dyspneic.

15 Q. In other words, it's a complicated inquiry. Dyspnea is a  
16 complicated, I guess, state that a patient could be in. It's  
17 multifaceted.

18 A. I would say that dyspnea is a symptom, and there are  
19 multiple causes of that particular symptom.

20 Q. What are some of those causes?

21 A. All of the causes I just listed. Would you like me to list  
22 them again or --

23 Q. Well, I guess my specific question is, can that -- can those  
24 circumstances be affected by the patient's underlying  
25 cardiovascular or respiratory disease?

1 A. Yes. So, yes, it could be related to someone's underlying  
2 cardiovascular state, and also just individual variability.

3 Just people differ in how sensitive they are to low oxygen and  
4 high carbon dioxide just as part of natural human variability.

5 Q. Is that something you observed in your practice in the ICU?

6 A. It's not something we measure, but, yes, I've seen patients  
7 who are very, very dyspneic, very short of breath, with an O2  
8 sat of 80 percent, and then I've seen other people that are  
9 almost blue and have a sat of 30 percent and are able to talk to  
10 me. So there's a wide variability in people's response to  
11 hypoxia.

12 Q. So what are some of the common cardiovascular or respiratory  
13 diseases that you see in the ICU with the patients that you  
14 treat?

15 A. So common things that we see are pneumonia, sepsis, COPD,  
16 asthma, serious bleeding, like bleeding from an ulcer, abdominal  
17 infections. A multitude of medical issues. Cancer. Lots of  
18 different medical issues.

19 Q. So I believe that earlier Dr. Schwartzstein mentioned  
20 emphysema. And I don't want to misstate his testimony, but he  
21 talked about lung damage, that emphysema creates lung damage  
22 that reduces the effective surface level of the lungs, the  
23 surface area through which gas exchange can occur. Do you agree  
24 with that description?

25 A. Yes, sir, I agree with that.

1 Q. So that would inherently affect a patient's ability to  
2 relieve or avoid in the first instance the feeling of dyspnea?

3 A. In emphysema specifically, there are several factors that  
4 contribute to dyspnea. It really depends on the individual  
5 patient.

6 Q. What about COPD?

7 A. COPD and emphysema are similar. Emphysema is a subtype of  
8 COPD. COPD also includes chronic bronchitis, and those both are  
9 under the umbrella of COPD.

10 Q. What about pneumonia?

11 A. I forgot what the first part of the question was.

12 Q. Just that you treat patients who have underlying diseases  
13 that can in small or significant ways affect their baseline  
14 ability to -- or their lungs' baseline ability to perform gas  
15 exchange; their ability to take in oxygen.

16 A. So emphysema, yes, I would say. Chronic bronchitis, no.  
17 The gas exchange area is intact. Pneumonia -- in someone who  
18 doesn't have underlying lung disease, pneumonia is an acute  
19 illness, so there's nothing really underlying that would  
20 predispose them to behave differently. So it kind of depends on  
21 the disease you're talking about and then the acuity or  
22 chronicity of that problem.

23 Q. What about ARDS?

24 A. ARDS is acute respiratory distress syndrome, so that's an  
25 acute problem. That happens within seven days of an inciting

1 insult. That's an acute problem.

2 Q. So all of these underlying diseases you see in your ICU  
3 patients? I apologize for being repetitive, and I have a point,  
4 but that's -- you see a varied range of underlying illnesses,  
5 underlying diseases in your patients?

6 A. Yes. Very. Yes.

7 Q. And so from that experience with those patients with this  
8 variable range of underlying issues, you're extrapolating from  
9 treating those patients in the ICU setting to making a  
10 determination about how nitrogen will affect -- or how nitrogen  
11 will create a hypoxic environment for a condemned person under  
12 Alabama's nitrogen hypoxia protocol?

13 A. So the way I'm using my -- so, yes, I am using my clinical  
14 experience to inform my opinion. The way I'm using my clinical  
15 experience specifically relates to hypoxia, which may or may not  
16 be present. Well, in ARDS, hypoxia is always present because  
17 that's part of the diagnostic criteria. But I have a lot of  
18 experience with treating patients that are hypoxic, either  
19 intubated with hypoxia or hypoxic and not intubated. And it's  
20 my experience with treating patients with hypoxia that really  
21 informs my opinion about the effects of hypoxia and how that  
22 might be experienced. It's not tied to any disease. It's more  
23 the conserved -- the physiologic response to hypoxia that  
24 everyone has when they're exposed to low oxygen levels and  
25 become hypoxic.

1 Q. But in the ICU, that hypoxic reaction is not happening in a  
2 vacuum, is it? There are other variables going on, things like  
3 mechanical ventilation, intubation, preoxygenation; is that  
4 correct?

5 A. Well, those things are sort of related. Yes, oftentimes  
6 there are many things going on in the ICU, but not every patient  
7 that I take care of and that I watch experiencing hypoxia or  
8 dying with hypoxia is intubated. So the response to hypoxia,  
9 the physiologic response, is a conserved response that we all  
10 have, regardless of how the hypoxia occurs. And my experience  
11 in the ICU I think informs this, because hypoxia is something  
12 that I see very, very commonly. Many patients that I have have  
13 hypoxia, so I have a lot of experience seeing patients that have  
14 hypoxia from a variety of causes.

15 Q. What percentage of those patients are intubated and  
16 mechanically ventilated?

17 A. In my practice, probably -- of all my patients, probably 15  
18 percent are intubated and mechanically ventilated in the ICU.

19 Q. What percentage get supplemental oxygen?

20 A. Probably in the range of maybe 50 percent get supplemental  
21 oxygen of some form.

22 Q. Would it be your expectation that a patient receiving  
23 supplemental oxygen would experience a flatter decrease in their  
24 oxygen saturation relative to somebody who wasn't receiving  
25 oxygenation?

1 A. I don't think I understand your question. Would you be --  
2 do you think you could restate it possibly?

3 Q. Sure. So you're making estimates about the rate at which a  
4 condemned person receiving nitrogen under the protocol would hit  
5 certain benchmarks: The mask filling up, displacing all the  
6 oxygen in the lungs, ultimately loss of consciousness. You're  
7 making a prediction or you're making an estimate about the rate  
8 at which that process will proceed. Is that fair?

9 A. Yes, that's fair.

10 Q. And you are at least in some way extrapolating from your  
11 experience in the ICU with hypoxic patients; correct?

12 A. Yes, sir.

13 Q. So you're extrapolating from a situation where patients are  
14 receiving oxygen, which inherently would slow the rate at which  
15 their oxygen saturation dropped; correct?

16 A. It really depends on the underlying illness, but in general,  
17 yes. If you're receiving supplemental oxygen and you're  
18 hypoxic, the goal of the supplemental oxygen is to raise the  
19 oxygen levels in the blood.

20 Q. So in other words, your goal is fundamentally opposed to the  
21 goal of the nitrogen hypoxia protocol.

22 A. That's fair.

23 Q. Same thing with mechanical ventilation?

24 A. Yeah, I'd say that's fair, assuming that the -- that we're  
25 not doing like a terminal extubation or someone's at the very

1 end of life. But assuming we're trying to increase the oxygen,  
2 yes, that's fair.

3 Q. So is there any situation in which a person receiving  
4 supplemental oxygen would have their oxygen saturation drop at a  
5 rate lower than it would have if they weren't receiving  
6 supplemental oxygen?

7 A. I mean, if the lung -- there are certain situations where  
8 the lung damage is so bad that no matter how much oxygen we're  
9 giving, the body can't use it. So, yes, there are situations  
10 where no matter what we do, the body cannot take up oxygen.

11 Q. Wasn't quite my question. What I'm asking is if you look at  
12 two rates of oxygen saturation dropping, same patient, scenario  
13 one, no supplemental oxygen; scenario two, supplemental oxygen.  
14 Is there any circumstance in which scenario two's rate of the  
15 oxygen saturation dropping would be lower than circumstance one?  
16 So is there any situation in which getting oxygen would cause  
17 your oxygen saturation to drop at a slower rate than if you  
18 didn't get any oxygen at all?

19 A. Slower? I don't think so. But as I said, giving -- there  
20 may be circumstances where the rates of dropping would be the  
21 same if the lung damage is so bad that the oxygen is just not  
22 working. So it would be, essentially, the oxygen is  
23 ineffective.

24 Q. But it is raising the baseline.

25 A. If the gas cannot be exchanged in the lungs, you can't raise

1 the baseline. So there are situations where, for example,  
2 severe pulmonary edema where the lungs are completely filled  
3 with fluid. You could give someone 100 percent oxygen in their  
4 lungs, and they're not going to be able to take up any more in  
5 their blood, just because the gas can't get there.

6 Q. Sure. I understand in that situation, we're talking a  
7 little bit more about rearranging deck chairs. But  
8 theoretically would supplemental oxygen ever cause oxygen  
9 saturation to drop at a lower rate than without it?

10 A. I can't think of a situation. I've never -- I mean, that --  
11 that's a little bit of an odd question. I can't think of a  
12 situation sitting here today.

13 Q. I'm just distinguishing the circumstances in which your  
14 patients are experiencing dyspnea and the circumstances in  
15 which, at least sort of in your view, Mr. Lee and other  
16 condemned people in Alabama would experience dyspnea under the  
17 protocol and saying that those situations -- I mean, they're a  
18 bit apples to oranges. Wouldn't you agree?

19 A. I think the circumstances are different, but the response to  
20 hypoxia in terms of the physiologic response, air hunger, is the  
21 same.

22 Q. Can mechanical ventilation cause the sensation of air hunger  
23 in ICU patients?

24 A. It can in certain circumstances. It can cause -- I would  
25 use the term ventilator dyssynchrony, but, yes, it can cause

1 what we would call air hunger.

2 Q. How would you define that dyssynchronization?

3 A. So probably one of the more common circumstances where I see  
4 something like that is when I'm trying to ventilate someone who  
5 has ARDS or acute respiratory distress syndrome. In order to  
6 protect the lung, like we heard earlier today, we have to give  
7 very small breaths to not overstretch the lungs. And so we're  
8 giving less of a breath than the patient actually -- their body  
9 is demanding, and in that circumstance the patient struggles  
10 because they're not getting the breath that they want. So that  
11 is what I would call ventilator dyssynchrony. And we treat that  
12 with sedation and narcotics for air hunger.

13 Q. What is the effect of a sedative and a paralytic on an  
14 intubated and mechanically ventilated patient's ability to  
15 breathe?

16 A. If someone is paralyzed, they themselves are not going to be  
17 able to initiate any breaths. They won't be able to breathe at  
18 all.

19 Q. Would that depress the respiratory rate?

20 A. Yes. It depresses it to zero. They can't breathe at all.  
21 There's no respiratory rate with paralysis.

22 Q. So do you have your report in front of you, Doctor, your --  
23 I don't remember if it was amended or supplemental, but the most  
24 recent support that you submitted in this case.

25 A. I don't believe I have that in front of me, unless it's in

1 your binder.

2 MR. THOMPSON: Your Honor, could I have just one  
3 moment?

4 THE COURT: You may.

5 (Brief pause in the proceedings.)

6 Q. Do you have your screen in front of you, Doctor?

7 A. I have the screen, but it's blank right now.

8 MR. THOMPSON: Your Honor, if we were to put  
9 Dr. Bastarache's exhibit on the screen, would that be  
10 acceptable?

11 THE COURT: Yes. It's not confidential; correct?

12 MR. THOMPSON: Perfect. Thank you.

13 Q. Do you have a preference, Doctor?

14 A. I like both, if you don't mind.

15 MR. THOMPSON: Permission to approach, Your Honor?

16 THE COURT: You may.

17 Q. So we're bouncing around a little bit. Would you turn to  
18 page 3, Doctor, of your report.

19 A. Yes, sir. I'm on page 3.

20 Q. About halfway down, do you see the heading under -- or next  
21 to number four?

22 A. Yes, I do.

23 Q. I'm quoting here: "Hypoxia does not cause rapid or  
24 instantaneous loss of consciousness and death." Is that your  
25 fourth opinion offered in this case?

1 A. Yes, it is.

2 Q. And in that opinion, you have concluded that it would take  
3 several minutes after nitrogen -- or after there's full  
4 displacement of oxygen in the lungs for loss of consciousness to  
5 occur.

6 A. Yes, sir.

7 Q. Is that correct?

8 A. Yes, sir. That's what I said in my report.

9 Q. So specifically -- and I know that we've talked a little bit  
10 about it being a theoretical time estimate that can change based  
11 on the circumstances of the case, but how do you square your two  
12 minute and 18 second time estimate for loss of consciousness for  
13 someone in their fifties and sixties with opinion number four,  
14 that once full oxygen displacement occurs in the lungs, loss of  
15 consciousness will not occur for several minutes?

16 A. Well, I think I stated here, it would take several minutes  
17 for the inmates to lose consciousness after the flow of nitrogen  
18 is turned on. And that squares with my opinions in this case  
19 using the physiologic calculation and also the eye witness  
20 reports.

21 Q. But you're making that -- you're offering that opinion  
22 categorically; correct?

23 A. I'm offering the opinion based on the nitrogen hypoxia  
24 protocol, the eye witness reports, and the physiology.

25 Q. Sure. But you testified that whatever the actual number

1 ends up being, you know, whether it's three minutes, five  
2 minutes, seven minutes, the two minutes and 18 seconds is  
3 theoretical. The actual number depends on the facts and  
4 circumstances of the particular case; correct?

5 A. Yes, sir. That's what I said.

6 Q. So what facts and circumstances are you pointing to  
7 specifically here in support of that conclusion where you are  
8 saying that it will take several minutes, which is above and  
9 beyond the two minute and 18 second theoretical time that we've  
10 been talking about?

11 A. Again, this opinion is not just based on the theoretical  
12 calculation. It's based on the other things we're talked about,  
13 including the eye witness reports.

14 Q. Can you link those two for me, please?

15 A. I'm not sure I understand the question.

16 Q. Are you saying that the eye witness -- I guess -- is this --  
17 is this retrospective or prospective? Are you saying that  
18 future executions under the protocol will take several minutes  
19 once nitrogen starts flowing, or are you saying that in your  
20 opinion, past executions have taken more than two minutes and 18  
21 seconds, and that's the basis for your predictive opinion?

22 A. Well, I wouldn't really have an opinion based on unknown  
23 facts in the future. But both of those statements are true, so  
24 based on what we've discussed in this case, including the eye  
25 witness reports, they've taken three to seven minutes. And I

1 would expect similar time frames in the future based on all of  
2 this information.

3 Q. In your experience, do patients experience all forms of air  
4 hunger the same?

5 A. I think the physiologic response, so the brain saying, I  
6 need more air, do whatever I can to make air, that's universal.  
7 How someone might experience that or describe it could vary.

8 Q. So no matter whether that's a lack of oxygen, displacement  
9 with an inert gas, or a buildup of carbon dioxide, you're saying  
10 that those are experienced identically across patients?

11 A. No, that's -- let me clarify a little bit. So both  
12 hypercarbia or high carbon dioxide and low oxygen can cause the  
13 symptom of air hunger. Whether those two are exactly identical  
14 is --

15 They're not exactly identical with how the signals are  
16 processed. So the initial signal for high carbon dioxide is the  
17 body sensing high carbon dioxide, and the initial signal for low  
18 oxygen is the body sensing low oxygen, but those signals  
19 converge to the same place, and that is, I need to breathe more.  
20 I can't get enough air. I'm suffocating. So the input is  
21 different, but the end result is the same.

22 Q. And just to be clear, you said it can, and that sounded a  
23 bit less equivocal, so I want to make sure that I'm clear. Are  
24 you saying that in all cases, the end result will be the same?  
25 Are you carving out some exceptions? Where are you drawing that

1 line?

2 A. So each person is going to have some individual variability  
3 on the set points for where the symptoms start to occur in terms  
4 of levels of oxygen and carbon dioxide, but ultimately the  
5 mammalian body's response to sensing those extreme danger  
6 signals is the same. So it causes the same response in the  
7 brain and body to try to get more air.

8 Q. So you're saying that the response to dyspnea, no matter  
9 what form it takes, is objective or subjective?

10 A. It's both. It's objective physiologic, physical response of  
11 the body that's then experienced by the person living in that  
12 body.

13 Q. And those two things are not always congruent in a  
14 particular patient, are they?

15 A. I am not sure what you mean by congruent.

16 Q. Physiological markers might suggest that the oxygen  
17 saturation levels are acceptable or within normal limits, and  
18 yet the patient might still be experiencing dyspnea as sort of a  
19 subjective experience?

20 A. Yes. So dyspnea, as we talked about a few minutes ago, can  
21 be caused by several different things, including constriction of  
22 the airways. That can cause someone to be dyspneic, but their  
23 oxygen level is going to be totally normal. So there are lots  
24 of different ways that someone can be dyspneic.

25 Q. So we've talked about inert gas displacement. We talked

1 about lack of oxygen. We talked about CO2 buildup. Are there  
2 any others that come to mind for you?

3 A. So asthma or obstruction of the airways, constriction of the  
4 chest, you know, inability, pain, exercise, fever. Those all  
5 can cause dyspnea.

6 Q. So you mentioned asthma. And some obstruction of the airway  
7 can cause the feeling of dyspnea in a patient, potentially  
8 regardless of their oxygen saturation levels. Is that fair?

9 A. Yes, that's fair.

10 Q. So would the same be true of a mechanically ventilated and  
11 intubated patient in the sense that the foreign object in the  
12 trachea is what's causing the distress and the uncomfortable  
13 sensation, not the oxygen levels?

14 A. No. Actually, the tube in the -- surprisingly, just the  
15 physical tube doesn't really cause a lot of distress. You  
16 bypass all the cough receptors. The distress that patients feel  
17 that are intubated is much more related to the underlying lung  
18 disease and then how we have to set the ventilator. But again,  
19 it depends on the specific circumstance for each patient.

20 Q. So what's the point of the sedative?

21 A. The sedative is to really relieve the air hunger that is  
22 associated with the underlying lung problem, and also to help  
23 treat the ventilator dyssynchrony that we talked about.

24 Q. So I guess that sounds to me like a roundabout way of saying  
25 that in that context, it's the procedure that's causing the

1 distress, you know, instead of or at least as much as any  
2 underlying drop in oxygen saturation, carbon dioxide buildup,  
3 what have you.

4 A. I disagree with that. I think it's completely -- I mean,  
5 there are scenarios where it's -- you know, ventilator  
6 dyssynchrony is a big driver of why someone's uncomfortable, and  
7 then there are other scenarios where it's severe underlying lung  
8 disease and hypoxia that we can't treat even with the  
9 ventilator. So I can't make an overarching statement that  
10 covers all patients. It's very variable, depending on the  
11 circumstances.

12 Q. Have you reviewed any of Mr. Lee's medical records in this  
13 case?

14 A. No, sir, I have not.

15 Q. Have you examined him in any way?

16 A. No, sir, I have not.

17 Q. Have you spoken to him?

18 A. No, sir, I have not.

19 Q. Are you aware of his medical history?

20 A. No, sir, I'm not.

21 Q. So in that situation, how would you expect to predict how a  
22 patient would respond to a hypoxic event or state of being?

23 A. So in this circumstance, I would not call Mr. Lee a patient.  
24 From what I understand, he's a young, healthy man that is going  
25 to be exposed to high levels of nitrogen to force him to be

1 hypoxic so that he suffocates. So I would not consider him -- I  
2 don't think of this as a patient. This is someone that's being  
3 forced to suffocate from hypoxia.

4 Q. So you described him as a young, healthy man. Wouldn't that  
5 suggest that your theoretical estimate of two minutes and 18  
6 seconds, based on the Fowler article, that he would fall much  
7 closer to that end of the range than some of the outer bounds  
8 that you've expressed in your report?

9 A. Again, the two minutes and 18 seconds is the theoretical  
10 framework, and all of -- you know, there are other factors  
11 related to the actual circumstance. And so there's no way to  
12 predict how long it will lead to loss of consciousness for any  
13 given individual.

14 Q. Would you expect in the aggregate, across a sufficiently  
15 large set of patients, though, that the healthier they are, the  
16 fewer confounding variables that we've talked about --  
17 underlying respiratory illness, mechanical ventilation,  
18 supplemental oxygen -- that the healthier the person is, the  
19 closer to that theoretical baseline they would be?

20 A. Actually, it's the exact opposite. The healthier the person  
21 is, the more able they are to tolerate hypoxia and low oxygen,  
22 and it would actually take longer. The healthier the person is,  
23 the longer it would take to loss of consciousness.

24 Q. Categorically?

25 A. I wouldn't say anything categorically; but if you have a

1 healthy person and a person with emphysema, forced nitrogen  
2 hypoxia is going to result in hypoxia and loss of consciousness  
3 faster in someone who has underlying lung disease than a young,  
4 healthy person that does not have any underlying lung disease.

5 Q. And for the unhealthy person, what explains that? What's  
6 the mechanism?

7 A. It's lack of reserve in cardiovascular fitness, lung  
8 function, ability to exchange gas. So when someone has an  
9 underlying lung disease or other kind of disease, they're more  
10 sensitive or more susceptible to perturbations, low oxygen, high  
11 carbon dioxide, et cetera.

12 Q. You said reserves. What do you mean by that?

13 A. So every organ in our body has reserve. We're not redlining  
14 any of our organs at any one moment. If I took out one of your  
15 lungs, you wouldn't be able to run a marathon, but you would be  
16 able to live a normal life and do your everyday activities. So  
17 we have a tremendous amount of lung reserve.

18 As patients get chronic illnesses, that reserve goes down.  
19 And the smaller that reserve, the faster it's going to -- in  
20 this circumstance, the smaller the reserve, the faster the  
21 nitrogen hypoxia is going to work because you don't have to  
22 overcome that reserve.

23 Q. Sorry, Doctor. Give me just one second.

24 A. Sure.

25 MR. THOMPSON: Your Honor, may I have just one moment?

1 THE COURT: You may.

2 (Brief pause in the proceedings.)

3 MR. THOMPSON: Your Honor, I should just have a couple  
4 more questions.

5 Q. Doctor, going back to the autopsies that you reviewed in  
6 this case, did you talk to any of the medical examiners who  
7 performed these autopsies?

8 A. No, sir, I did not.

9 Q. Do you think that that would have been helpful in forming  
10 your opinion?

11 A. No.

12 Q. Maybe not necessary, but helpful?

13 A. No.

14 Q. Did you review the testimony of Dr. Shante Hill?

15 A. That does not ring a bell. I don't believe I did.

16 Q. Well, Dr. Hill is the examiner who conducted Kenneth Smith's  
17 autopsy.

18 MR. THOMPSON: Your Honor, I think that's it.

19 THE COURT: All right.

20 MR. THOMPSON: Thank you.

21 THE COURT: You have redirect? I feel like now is a  
22 time to take a quick break.

23 MS. SHARPE: I do not have redirect, in any event.

24 THE COURT: All right. Then you can step down.

25 It is 3:22. We will take a break until 3:40.

1           (Recess was taken at 3:22 p.m. until 3:41 p.m., at  
2 which time the proceedings reconvened, as follows:)

3           THE COURT: Plaintiff will call its next witness.

4           MS. WIESNER: Thank you, Your Honor. Plaintiff Mr. Lee  
5 calls Sarah Clifton to the stand.

6           Your Honor, before we begin, we have witness binders  
7 for Ms. Clifton as well. May I approach?

8           THE COURT: Yes. Thank you.

9           MS. WIESNER: Your Honor, all of the exhibits contained  
10 in Ms. Clifton's binder have been previously admitted.

11          THE COURT: All right. Very good.

12                               SARAH CLIFTON

13          The witness, having first been duly sworn to speak the  
14 truth, the whole truth and nothing but the truth, testified as  
15 follows:

16                               DIRECT EXAMINATION

17 BY MS. WIESNER:

18 Q. Good afternoon. Can you please go ahead and state your name  
19 for the record.

20 A. My name is Sarah Clifton.

21 Q. Thank you, Ms. Clifton. Where do you currently work?

22 A. I work for the Montgomery Advertiser as a reporter.

23 Q. And how long have you worked at the Montgomery Advertiser?

24 A. Since August of 2024.

25 Q. Now, Ms. Clifton, have you attended an execution?

1 A. Yes.

2 Q. How many?

3 A. Three. Yeah.

4 Q. And have each of those three executions been here in  
5 Alabama?

6 A. Yes.

7 Q. Have each of the three executions been by nitrogen hypoxia?

8 A. Yeah.

9 Q. So I want to walk through those three executions.

10 Did you attend the execution of Demetrius Frazier, which was  
11 in February of 2025?

12 A. Correct.

13 Q. And did you also attend the execution of Geoffrey West?

14 A. Correct.

15 Q. And finally, did you attend the execution of Anthony Boyd?

16 A. Correct.

17 Q. Why was it that you attended each of those executions?

18 A. It's part of my job as a reporter. On paper I'm a business  
19 reporter, but the executions beat was open, and I took it up.

20 Q. Now, given that you were there in your capacity as a  
21 reporter, does that mean that you sat in the media witness room?

22 A. Correct.

23 Q. And did you take notes during each of those executions?

24 A. Yes.

25 Q. What types of things were you taking notes of?

1 A. Taking notes of every movement I see, times that correspond  
2 with movements -- correspond with movements, you know. It's  
3 mostly movements, times, keeping track of those things, any  
4 breathing. Anything that I can see gets written down.

5 Q. So is it fair to say that throughout those executions,  
6 you're focused on the inmate?

7 A. Yes.

8 MS. KENNY: Object. Leading.

9 THE COURT: Sustained.

10 Q. I'll reask. What are you focused on during those  
11 executions?

12 A. Everything that's happening.

13 Q. Does that include the inmate?

14 A. Yes.

15 Q. You also mentioned that you're writing down times. Are you  
16 keeping track of time on a clock while you're taking those  
17 notes?

18 A. There's a digital clock that's on the wall in the death  
19 chamber.

20 Q. And were you trying to record in real time what you were  
21 observing?

22 A. Yes.

23 MS. KENNY: Objection. Leading.

24 THE COURT: Sustained.

25 Q. I understand that you wrote articles describing your

1 observations of each execution.

2 A. Correct.

3 Q. And in writing those articles, were you doing your best to  
4 be as accurate as possible in describing your observations?

5 MS. KENNY: Object to bolstering.

6 THE COURT: I'm sorry. I couldn't hear you.

7 MS. KENNY: Objection. It's bolstering before she even  
8 testifies.

9 THE COURT: You need to do a better job rephrasing your  
10 questions.

11 MS. WIESNER: I can reask my question, Your Honor.

12 THE COURT: Please do.

13 Q. (Ms. Wiesner, continuing:) In the articles when you're  
14 describing -- well, strike that. In each of the articles, what  
15 are you basing the information contained in your articles on?

16 A. Can you ask that again? I'm sorry.

17 Q. Sure. And why don't I actually use an example to help.

18 A. Okay.

19 Q. If you can, Ms. Clifton, in your binder, there is an exhibit  
20 labeled P 0028. Do you recognize this article?

21 A. Yes.

22 Q. And is that an article that you wrote regarding Demetrius  
23 Frazier's execution?

24 A. Correct.

25 Q. And was Mr. Frazier's execution fresh in your mind when you

1 wrote this article?

2 A. Yes.

3 Q. And do you recall that you previously testified in this  
4 court regarding your observations of Mr. Frazier?

5 A. Yes.

6 Q. And do you recall that that was in September of 2025 in a  
7 case brought by Mr. Boyd?

8 A. Correct.

9 Q. Is the testimony that you provided last year about your  
10 observations during the Frazier execution still accurate today?

11 A. Yeah, it should be.

12 Q. And if you look at Plaintiff's Exhibit 28, which is the  
13 article on the screen, if you turn to page 2, do you see that  
14 there's an execution time line?

15 A. Yes.

16 Q. And did you, yourself, write this execution time line?

17 A. Yes.

18 Q. What information were you using to create this execution  
19 time line?

20 A. It's based on my observations during the execution. A very  
21 simple version of what happens is that, you know, they start the  
22 process, it goes through after all media sits, and, you know, I  
23 write what I see as I'm seeing it and notate times. It's a very  
24 straightforward witness account.

25 Q. And do you stand by the description of events that you

1 witnessed during Mr. Frazier's execution as relayed --

2 A. Yes.

3 Q. -- as what's on the screen?

4 A. Yes.

5 Q. Now, you also observed Mr. West's execution in September of  
6 2025?

7 A. Uh-huh (positive response).

8 Q. And did you also write an article about what you observed  
9 during Mr. West's execution?

10 A. Correct.

11 Q. If you could, Ms. Clifton, please turn to Plaintiff's  
12 Exhibit 34, which is also in your binder. Do you recognize this  
13 article?

14 A. Yes.

15 Q. Is this the article that you wrote regarding Mr. West's  
16 execution?

17 A. Yes.

18 Q. And when you wrote this article, were the events in his  
19 execution still fresh in your mind?

20 A. Yes.

21 Q. If you can take a look at the first page, please, you'll see  
22 that there is a bolded header that says "Execution time line."

23 A. Uh-huh (positive response).

24 Q. Do you see that?

25 A. Yes.

1 Q. What information were you basing this time line on?

2 A. My notes that I was taking as the process happened.

3 Q. So I want to talk to you about a few of the entries  
4 contained in your time line. And if you can start with the very  
5 first bullet, please.

6 MS. KENNY: I'm going to object to this methodology.  
7 At this point, she's not established that she cannot testify as  
8 to her own recollection. This is improper refreshing of  
9 recollection if she's going to have her read her article out  
10 loud to this court.

11 THE COURT: What's your response?

12 MS. WIESNER: Well, Your Honor, I'm going to ask  
13 specific questions about certain entries and what her  
14 observations were, but I'm certainly fine to ask the question  
15 first, and if the witness requires refreshing, we can refer back  
16 to the article.

17 THE COURT: All right. Let's do that.

18 MS. WIESNER: Your Honor, I do, however, want to orient  
19 the witness to certain bullets in the article, which I think  
20 would be helpful for expediency today.

21 THE COURT: All right. Go ahead.

22 Q. (Ms. Wiesner, continuing:) The first bullet, you write at  
23 5:52 that the drapes in the viewing room were drawn back --

24 MS. KENNY: Objection, Your Honor. She's reading the  
25 entry.

1           THE COURT: Why don't you ask her questions about her  
2 recollection? And then if you need to refer to the article, you  
3 can do that.

4 Q. (Ms. Wiesner continuing:) When you first entered the media  
5 witness room, are the curtains closed?

6 A. Yes.

7 Q. At some point in time during each execution, are the  
8 curtains drawn back?

9 A. Yes.

10 Q. Following the point in time when the curtains are drawn  
11 back, do you have a clear view of the gurney?

12 A. I would say so.

13 Q. And likewise, after the point in time at which the curtains  
14 are drawn back, do you have a clear view of the inmate?

15 A. Wait. Ask that again? I'm sorry.

16 Q. Sure. After the curtains are drawn back, do you have a  
17 clear view of the inmate?

18 A. Yes.

19 Q. And in the case of Mr. West, did you have a clear view of  
20 Mr. West?

21 A. Yes.

22 Q. Were you able to see Mr. West's face?

23 A. Yes.

24 Q. And do you recall during Mr. West's execution seeing a  
25 member of the corrections staff conducting some type of an

1 inspection of the mask on Mr. West's face?

2 A. I believe so. I would like to double check my article to be  
3 sure.

4 Yeah. Yeah. They do, like, a seal check where they run  
5 their hands along the edge of the mask.

6 Q. And that was something that you were able to see from the  
7 media witness room?

8 A. Yes.

9 Q. During Mr. West's execution, did you see Mr. West nod his  
10 head?

11 MS. KENNY: Object to leading.

12 THE COURT: Overruled. You can answer that.

13 A. When are you referring to? There's -- it's like a long  
14 period of time, so I would need a specific --

15 Q. Is there a document that would help refresh your memory on  
16 the points in time during Mr. West's execution?

17 A. Yeah. It would help if I could review this. As I've said  
18 previously, this is written directly after the thing happens,  
19 so --

20 Q. So why don't I ask my question, and if it would be helpful  
21 for you, I can direct you to a point in the article. You can  
22 review it silently to yourself, and then I can reask my  
23 question.

24 A. Okay.

25 Q. Was there a point in time at which you saw Mr. West moving

1 his head, nodding his head? And I would direct you,  
2 Ms. Clifton, to the bullet at time stamp 5:57, if you could read  
3 that to yourself.

4 A. Yeah. Everything that I've written, like I said before, is  
5 true as I witnessed it.

6 Q. So having reviewed this article, does that refresh your  
7 recollection about whether or not you saw Mr. West nodding his  
8 head?

9 A. Yes.

10 Q. And at approximately what time did you see that?

11 A. Around 7:57.

12 Q. Do you mean 5:57?

13 A. Yes. Sorry. 5:57.

14 Q. And did you also see what appeared to be like Mr. West  
15 trying to say something?

16 A. Yeah, at points.

17 Q. And did that also occur at approximately 5:57?

18 A. Yes.

19 Q. Now, based on your observations, did it appear to you that  
20 Mr. West's breathing changed at various points in time?

21 A. Yes.

22 Q. Can you describe that for us.

23 A. It's nothing that's, at least as far as this one goes, not  
24 consistent, but there are several points where I noticed the  
25 rate of breathing change, whether it was -- it became quicker,

1 became slower or shallower, more ragged. The quality of it  
2 changed over the process.

3 Q. Did you ever observe what looked like his breathing becoming  
4 heavier?

5 A. Yeah.

6 Q. And approximately when did that happen?

7 A. I would have to look at the time line. Probably --  
8 You said heavier; right?

9 Q. Yes, ma'am.

10 A. His breathing becoming heavier? Sorry. I wrote a lot.  
11 Hold on. Starts at around 5:57, and it continues to get  
12 heavier, and then it shallows out minutes later.

13 Q. Did you see Mr. West make any movements with his body?

14 A. Yeah.

15 Q. Can you describe those movements, please.

16 A. One thing I do remember, without even having to review it,  
17 is his head sort of, like, lolling to the side and sort of  
18 rolling like this. There was a lot of movements in general that  
19 happened with these things, but that one is a big one I  
20 remember. He appeared to give a thumbs up towards the beginning  
21 of it and looked like he was trying to say something. His hands  
22 would curl. You know, the breathing impacts that. Not the  
23 hands thing, the, you know, just general stability of the body  
24 on the gurney. Those are the main things, I would say. My  
25 article is a lot more detailed, but, yeah.

1 Q. Fair enough. So you mentioned that you saw Mr. West's head  
2 loll to the side. Did you record in your article the  
3 approximate time at which you saw Mr. West's head loll to the  
4 side?

5 A. I did. 5:58, and then it continues into 5:59.

6 Q. You said that you also saw Mr. West's hand curl. Did you  
7 also record in your article the approximate time at which you  
8 saw his hands curl?

9 A. Yes. Let me find it. Somewhere in here. 6:00.

10 Q. And at any point in time, did you see Mr. West make any  
11 other movements with his upper body?

12 A. Besides ones that are impacted by heavier breathing, I  
13 suppose not, but it was mostly ones related to breath.

14 Q. Did you ever -- did it ever appear to you that Mr. West was  
15 pushing against the restraints?

16 A. It appeared that way. I don't necessarily know if that  
17 is -- or what -- if that is what was happening, but there were  
18 times that it appeared like that was the case, yes.

19 Q. And can you describe for me what he was doing.

20 A. I say it somewhere in the beginning, where he's, like,  
21 strapped cruciform to the gurney. There's points where, you  
22 know, as it went on, it looked like his arms were just fighting  
23 against the restraints. Yeah. Just like, you know -- yes.

24 Q. And did you record in your article the approximate time at  
25 which you observed Mr. West's arms fighting against the

1 restraints?

2 A. Yeah. I know it's in here. I've got to find it. 5:58.

3 Did you not --

4 Q. Sorry. I didn't hear your answer.

5 A. 5:58 is when that happened. Sorry.

6 Q. Did you take notes on when you were able to hear Mr. West's  
7 last breath?

8 A. You can't -- well, "can't" isn't the word.

9 Q. Let me reask my question.

10 A. Yeah.

11 Q. Were you taking notes on what you could observe about  
12 Mr. West's breathing?

13 A. I took notes on when I saw his last breath.

14 Q. And when was that?

15 A. It was around 6:07. Yeah.

16 Q. And the four minutes leading up to when Mr. West took his  
17 last breath, what were you observing about his breathing?

18 A. In the four minutes leading up to it you said? Like I've  
19 said before, the quality of the breath changes a lot very  
20 rapidly over the course of this process. There is a lot of  
21 gasping breaths that are further apart and inconsistently timed,  
22 and at a point they start to shallow out. Everyone looks  
23 differently, but the gasping breaths and tapering off into more  
24 shallow ones is a common thing that I've seen. I remember he in  
25 particular had breathing that sort of appeared like he was

1 coughing. Again, I can't say exactly that he was coughing, but  
2 that's what it appeared like. But, yeah. Breathing that was  
3 gasping at first, shallowed out, sputters into some coughs, and  
4 then nothing perceptible to the eye.

5 Q. And did you record in your article the approximate times at  
6 which you saw the gasping and coughing breaths?

7 A. Yes, extensively.

8 Q. I want to turn to Mr. Boyd's execution, which was in October  
9 of 2025. Did you also attend that execution?

10 A. I did, yes.

11 Q. And where were you sitting for Mr. Boyd's execution?

12 A. Mr. Boyd's, I was in the second row of the viewing chamber.  
13 But the seats are staggered, so it's still a very clear view  
14 from where you're sitting.

15 Q. Did you write an article regarding your observations of  
16 Mr. Boyd's execution?

17 A. Yes.

18 Q. And if you can turn, please, to Plaintiff's Exhibit 30. Is  
19 this the article that you wrote regarding your observations of  
20 Mr. Boyd's execution?

21 A. Yes.

22 Q. And did you take notes of your observation during that  
23 execution?

24 A. Yes.

25 Q. If you take a look at Exhibit 30, do you see that there's an

1 execution time line reflected?

2 A. Uh-huh (positive response).

3 Q. What information were you using to create this execution  
4 time line?

5 A. The notes I take in real time as I'm watching the execution  
6 occur.

7 Q. And were the events of Mr. Boyd's execution fresh in your  
8 mind when you wrote this execution time line?

9 A. Yes. This is written directly after it happened.

10 Q. Is that true for Mr. West as well?

11 A. It's true for all of them.

12 The process the prison uses is that they have a separate  
13 media room on the site. We witness the executions, we're taken  
14 back to the media center, there's a short press conference with  
15 John Hamm, and then we have an hour to write in there before it  
16 closes. So it's always right after the execution occurs.

17 Q. Thank you. When you first entered the witness media room  
18 for Mr. Boyd, were the curtains closed?

19 A. Yes.

20 Q. And at some point, the curtains were opened?

21 A. Yes.

22 Q. After the curtains were opened, were you able to see  
23 Mr. Boyd on the gurney?

24 A. Yes.

25 Q. Did you have a clear view of Mr. Boyd on the gurney?

1 A. At that point, yes.

2 Q. There is a reference in your article to Mr. Hood.

3 A. Uh-huh (positive response).

4 Q. Who is Mr. Hood?

5 A. That was Boyd's spiritual advisor.

6 Q. Did Mr. Hood block your view of Mr. Boyd's face for any  
7 period of time?

8 A. For a portion of time, he stood next to him, holding his  
9 hand. So he was standing in front of his face, but I could see  
10 the rest of his body. I believe that's -- yeah, it's like  
11 5:55 -- no -- no. That's not the part where he was blocking it.  
12 Yeah, at like 5:56 to 5:58.

13 Q. So to clarify, at some point in time, Mr. Hood stepped away?

14 A. Yes.

15 Q. And once Mr. Hood stepped away, did you have a clear view of  
16 Mr. Boyd's face?

17 A. Yes.

18 Q. But even when Mr. Hood was standing next to the gurney, you  
19 could see Mr. Boyd's body?

20 A. Everything except his face.

21 Q. And you indicate in your article that the gas apparently  
22 began flowing at 5:57. What did you observe happening with  
23 Mr. Boyd right after you believe the gas was flowing?

24 A. Like sudden movements, you know, like the body jerking a bit  
25 off the gurney. I forget exactly how I phrased it, but I

1 remember it was his, like, legs tensed. But -- yeah.

2 Basically, sudden movements.

3 Q. All right. Did you see Mr. Boyd roll to the side or make  
4 any attempts to roll to the side?

5 A. Yeah. There was a point in the beginning where he did that.  
6 I would have to see the exact time in here. Yeah. 5:57 is when  
7 that happened.

8 Q. What other movements did you see from Mr. Boyd at 5:57?

9 A. He, like, jolted and rolled to the side. There was a bit of  
10 shuddering, too, bodily, like, shuddering. You know, that's  
11 the -- that's most of it.

12 Q. At any point in time, did you see Mr. Boyd's legs raise up  
13 off the gurney?

14 A. Yeah. I saw that twice.

15 Q. And did you document in your execution time line  
16 approximately when you saw Mr. Boyd's legs raise up off the  
17 gurney?

18 A. Yeah. It happens once at the beginning. I believe around  
19 5:56 or 5:57, one of those -- no, 5:58. That's when it  
20 happened. And then it happens again somewhere towards the end.  
21 I have to find it. Sorry. I read slowly. 6:15. That's the  
22 second time it happens.

23 Q. And I saw in your article some descriptions of the time that  
24 passed in between each breath.

25 A. Yeah.

1 Q. How did you determine how much time was passing in between  
2 each breath?

3 A. Manually counting seconds as I watched.

4 Q. So you were literally counting to yourself in between each  
5 breath?

6 A. Yes. I do that during every execution, but that's how that  
7 was ascertained.

8 Q. And if you look at your article, beginning at time 6:01, how  
9 much time were you counting in between Mr. Boyd's breath?

10 A. Every time he took a new breath, I would start over my  
11 counting in seconds and write down each single one. So those  
12 were -- they came really inconsistently, and there were some  
13 intervals that were about five seconds long, some about 15. I  
14 remember a couple were like eight or ten. But that range of  
15 time intervals between breaths was what I observed.

16 Q. And if you look beginning at minute 6:02 and again at 6:06,  
17 did there come a period of time when Mr. Boyd's breath became  
18 more regular?

19 A. Yeah. Yeah.

20 Q. And how often was Mr. Boyd breathing?

21 A. There was a point where it -- where I counted two to three  
22 seconds between breaths. There was a couple of minutes where it  
23 seemed like it was three seconds between each one, and then it  
24 appeared to become less stable at a point, and there was more  
25 variation of two seconds and three seconds between them. But --

1 yeah.

2 Q. But were there multiple minutes where Mr. Boyd was breathing  
3 every two to three seconds?

4 A. Yeah. Yes, there was.

5 Q. And did you document in your execution time line  
6 approximately at what point in time Mr. Boyd was breathing every  
7 two to three seconds?

8 A. Yes. Yeah, I believe it starts somewhere in here. Yeah, at  
9 6:02, there's longer intervals between them, and they get  
10 progressively shorter as you go on to 6:07, which is where he  
11 was breathing approximately every two to three seconds.

12 Q. And at some point in time after 6:07, did Mr. Boyd's breath  
13 start to become shallower?

14 A. Yeah.

15 Q. And did you again --

16 THE COURT: Sorry. Can you answer out loud?

17 THE WITNESS: Oh, sorry. Yes.

18 Q. And did you document in your execution time line  
19 approximately when that occurred?

20 A. Yes.

21 Q. How long was it before you saw what appeared to be  
22 Mr. Boyd's last breath?

23 A. I believe about -- hold on. It's in here. The last one was  
24 around 6:17.

25 Q. Thank you.

1 MS. WIESNER: Your Honor, I would pass the witness.

2 THE COURT: All right. Cross-examination.

3 CROSS-EXAMINATION

4 BY MS. KENNY:

5 Q. Good afternoon, Ms. Clifton. Polly Kenny.

6 A. Hi.

7 Q. Let's start with Mr. West's article, if you could turn back  
8 to Exhibit 34. I would like to turn your attention to the 5:58  
9 entry. You state that West's hands seemed to lax as he began to  
10 apparently shake his head. His arms appeared to tense against  
11 the restraints, and his body appeared to shake slightly over  
12 about 20 seconds.

13 His body appeared, and then there's "appeared" two more  
14 times in that entry. Do you see that?

15 A. Yes.

16 Q. And then throughout the next 5:59, 6:00, 6:01, the word  
17 "appeared" appears multiple times; correct?

18 A. Yes, it does.

19 Q. And the word "apparently" appears multiple times as well;  
20 correct?

21 A. Yes.

22 Q. And you're not using -- when you say you're counting  
23 seconds, are you counting those in your head, or do you have a  
24 stopwatch-type thing?

25 A. You're not allowed to bring a stopwatch in there.

1 Q. So you're counting -- how do you do it? One Mississippi?

2 A. One Mississippi, two Mississippi, three Mississippi.

3 Q. Like every Southerner does.

4 A. Yes. Correct.

5 Q. Now, Mr. West -- you indicate that his body began to turn  
6 blue at 6:00; correct?

7 A. Yes. That was what I saw.

8 Q. And you testified on direct examination that the last four  
9 minutes, that the breathing was variable, I guess -- that might  
10 not be the word you used, but that's a word I would use to  
11 describe your testimony. Would you agree that it was sort of  
12 variable?

13 A. Yes.

14 Q. And would you agree that that's consistent with agonal  
15 breathing?

16 MS. WIESNER: Objection.

17 THE COURT: What's the objection?

18 MS. WIESNER: Lack of foundation. Calls for expert  
19 testimony.

20 THE COURT: Sustained.

21 Q. (Ms. Kenny, continuing:) You testified in the Boyd hearing;  
22 correct?

23 A. Yes, I did.

24 Q. And you testified in regard -- in regard to agonal  
25 breathing, that you saw Mr. Frazier having agonal breathing?

1 A. I believe what I said was I was aware of what agonal  
2 breathing was, not necessarily that I can attest that that was  
3 agonal breathing.

4 Q. What is agonal breathing?

5 A. That's not within my purview to define right now.

6 Q. So you knew what it was then, but you don't now?

7 A. I do know what it is, but --

8 Q. Have you ever seen someone die?

9 A. Several times.

10 Q. And have you seen end-of-life breathing?

11 A. Yes.

12 Q. Would you call that agonal breathing?

13 MS. WIESNER: Objection, Your Honor.

14 THE COURT: Overruled. She's laying enough of a  
15 foundation that this line of questioning can continue. Go  
16 ahead.

17 A. I am not a medical expert, so I am not going to attest to  
18 whether or not it was agonal breathing, but I do know what it  
19 is. I'm aware of that concept. But I can't say for certain if  
20 it was or wasn't.

21 Q. And excuse me if my question tended to that. What I was  
22 meaning to ask was whether that was consistent in your mind with  
23 what you had seen previously.

24 A. Consistent with what I had seen previously --

25 Q. In seeing other people die and end-of-life breathing.

1 A. Everyone is different when they die. The type of movements  
2 that happen, type of breathing, how hard or shallow or rough it  
3 is, it's all different. So I don't know if necessarily  
4 comparing visual stimuli is the most helpful, especially if the  
5 deaths occurred by different means. I've seen three executions,  
6 and I've watched several people die naturally, and those are all  
7 very different circumstances. So I would not feel comfortable,  
8 you know, making that decision one way or another.

9 Q. So that's fair. So in that several, the three executions  
10 and the people you saw die naturally, they were all different,  
11 you said?

12 A. Yes.

13 Q. Now, in a nitrogen execution, you do not know exactly when  
14 the gas begins to flow; correct?

15 A. Correct. The State does not tell us.

16 THE COURT: Ms. Kenny, could you pull the microphone  
17 down a little bit more? Thank you.

18 Q. And you cannot see the pulse oximeters to see what the blood  
19 saturation is; correct?

20 A. They're not publicly, like, available.

21 Q. So you cannot see them.

22 A. No.

23 Q. And you cannot see the EKG machine; correct?

24 A. Correct. For Boyd's case in particular, they told us when  
25 he flatlined, though, so that is information that I got from the

1 State.

2 Q. Was that during the press conference or prior to that --

3 A. Yes.

4 Q. And you don't know from your personal observations when the  
5 condemned inmate loses consciousness; correct?

6 A. Correct.

7 Q. You don't know when their heart stops, other than Boyd  
8 being --

9 A. I was about to say, in Boyd's case, they told us directly.

10 Q. Okay. But other than that --

11 A. General protocol is that we are not able to see the EKG.

12 Q. And of the movements you see, you don't know which movements  
13 are conscious movements and which ones are not conscious  
14 movements; correct?

15 A. Correct.

16 I'd like to clarify that all the things that I've documented  
17 in here are things that I've observed. I don't know the  
18 intention behind them or the thought process behind them, but  
19 these are all things I saw with my eyes.

20 Q. From your perspective on your angle and your observations;  
21 correct?

22 A. These are just literal events that I saw with my eyes, not  
23 colored by anything else. Just write what I see.

24 MS. KENNY: No further questions.

25 THE COURT: Any redirect?

1 MS. WIESNER: No, Your Honor.

2 THE COURT: All right. You can step down. Thank you.  
3 Plaintiff will call its next witness.

4 MS. WIESNER: Thank you, Your Honor. Plaintiff Lee  
5 calls Defendant Commissioner Hamm.

6 Your Honor, again, I have witness binders. May I  
7 approach?

8 THE COURT: You may.

9 MS. WIESNER: Just for the record, Your Honor,  
10 Plaintiff's Exhibit 4 is highly confidential. I do not  
11 anticipate that I will have to show it, so hopefully we can  
12 avoid any issues there.

13 THE COURT: All right.

14 MS. WIESNER: And Plaintiff's Exhibit 114, I've had  
15 conversations with counsel for defendants. They agreed that I  
16 could publicly show this document. They did, however, ask that  
17 I redact the language "highly confidential," which we've done in  
18 the electronic version. So if it is published, I understand  
19 from defense counsel that I may do so without closing the  
20 courtroom.

21 THE COURT: That's correct?

22 MS. SIMPSON: Yes, Your Honor.

23 THE COURT: All right.

24 JOHN HAMM

25 The witness, having first been duly sworn to speak the

1 truth, the whole truth and nothing but the truth, testified as  
2 follows:

3 DIRECT EXAMINATION

4 BY MS. WIESNER:

5 Q. Good afternoon.

6 A. Good afternoon, ma'am.

7 Q. Can you please state your name for the record.

8 A. John Hamm.

9 Q. Mr. Hamm, you've been the commissioner of the Alabama  
10 Department of Corrections since January 1st, 2020?

11 A. No. 2022.

12 Q. Thank you. And you approved Alabama's protocol for  
13 execution by nitrogen gas?

14 A. That is correct.

15 Q. And you have the authority to approve an execution protocol  
16 in Alabama? It's one hundred percent wholly yours?

17 A. Yes, ma'am.

18 Q. You did not personally write the protocol for execution by  
19 nitrogen gas?

20 A. That is correct.

21 Q. Attorneys at the Attorney General's office wrote it?

22 A. Yes, ma'am.

23 MS. SIMPSON: Objection, Your Honor. Again, leading  
24 the witness.

25 THE COURT: Sustained.

1 MS. WIESNER: Your Honor, this is a defendant in the  
2 matter. I would ask for permission to lead.

3 MS. SIMPSON: Your Honor, the witness hasn't proven  
4 himself to be hostile yet.

5 THE COURT: True.

6 Q. (Ms. Wiesner, continuing:) Who wrote the protocol for the  
7 execution by nitrogen hypoxia?

8 A. The Attorney General's office.

9 Q. Do you, yourself, have any medical training?

10 A. No, ma'am.

11 Q. Do you, yourself, have any training in physiology?

12 A. No, ma'am.

13 Q. What about mental health?

14 A. No, ma'am.

15 Q. Do you have any training in hypoxia?

16 A. No, ma'am.

17 Q. Did you consult with any medical professionals as part of  
18 the drafting process?

19 A. I did not.

20 Q. And did you consult with any medical professionals regarding  
21 the onset of unconsciousness from nitrogen gas?

22 A. No, ma'am, I did not.

23 Q. Are you aware of whether anyone on the drafting team  
24 consulted with any medical professionals or experts on nitrogen  
25 gas?

1 A. I'm not sure.

2 Q. Let me break that up.

3 Are you aware of whether anyone on the drafting team  
4 consulted with medical professionals?

5 A. I don't know who all they consulted with, ma'am.

6 Q. Do you recall I took your deposition on March 4th of this  
7 year?

8 A. Yes, ma'am.

9 MS. WIESNER: Court's indulgence.

10 (Brief pause in the proceedings.)

11 MS. WIESNER: Permission to approach, Your Honor, with  
12 a copy of that deposition transcript?

13 THE COURT: You may.

14 Q. If I could direct you, Mr. Hamm, to page 119, beginning at  
15 line one. Do you see that I asked, "Are you aware of whether  
16 anyone on the drafting team consulted with medical  
17 professionals?" And you answered, "I'm not aware." Did I read  
18 that correctly?

19 A. Hold on. I'm just now catching up, ma'am. Hold on. That  
20 is correct.

21 Q. Are you aware of whether anyone on the drafting team  
22 consulted technical experts?

23 A. No, ma'am, I'm not aware.

24 Q. Before approving the protocol for nitrogen gas, did you  
25 personally take any steps to confirm that it does not cause pain

1 or suffering?

2 A. No, ma'am.

3 Q. And before approving the protocol for execution by nitrogen  
4 gas, did you take any steps to confirm that it does not cause  
5 terror?

6 A. No, ma'am.

7 Q. Before approving the protocol for execution by nitrogen gas,  
8 did you personally take any steps to confirm that it does not  
9 cause psychological trauma?

10 A. No, ma'am.

11 Q. And are you aware of the fact that plaintiffs have alleged  
12 in this litigation and in others that the protocol for execution  
13 by nitrogen gas does, in fact, cause pain and suffering?

14 A. I understand that's some of the allegations. Yes, ma'am.

15 Q. After those allegations have been raised, did you take any  
16 steps to investigate whether execution by nitrogen gas causes  
17 pain and suffering or trauma?

18 A. No, ma'am.

19 Q. You've observed several executions by nitrogen hypoxia?

20 A. Yes, ma'am.

21 Q. In fact, have you observed all of the executions by nitrogen  
22 gas?

23 A. In Alabama, yes, ma'am.

24 Q. And are you generally familiar with the steps taken during  
25 an execution by nitrogen gas?

1 A. Yes, ma'am.

2 Q. Is there a point in time during the execution when someone  
3 on the execution team conducts a final inspection of the mask?

4 A. Yes, ma'am.

5 Q. Is that inspection a technical inspection of any kind?

6 A. No, ma'am.

7 Q. It's just visual?

8 A. And feel.

9 Q. Is there any negative pressure seal test performed on the  
10 mask?

11 A. No, ma'am.

12 Q. Now, when the mask is first placed on the inmate, is  
13 breathing air being pumped to the mask?

14 A. Correct.

15 Q. And would you agree with me that once the breathing air is  
16 turned off during the course of an execution, there will be some  
17 residual breathing air throughout the length of the breathing  
18 tube?

19 A. One would figure that.

20 Q. Does the protocol have any steps to purge the breathing air  
21 from the breathing tube?

22 A. I don't recall specifically.

23 Q. Sitting here today, you're not aware of any steps in the  
24 protocol?

25 A. To purge the air, no, ma'am.

1 Q. Are you aware of any tests that have been undertaken at any  
2 point in time to confirm how long it takes for the residual air  
3 to be flushed from the breathing tube and mask?

4 A. No, ma'am, I'm not aware.

5 Q. Are you aware of any independent investigation undertaken to  
6 ascertain how long it takes for the residual oxygen to be  
7 flushed from the breathing tube and mask?

8 A. No, ma'am.

9 Q. Do you know how long it takes for the nitrogen gas to  
10 displace the residual oxygen in the breathing tube and mask?

11 A. I do not, ma'am.

12 Q. Are members of the media permitted to witness executions by  
13 nitrogen gas?

14 A. Yes, ma'am. Witnesses to all of our executions.

15 Q. Where do the media witnesses sit?

16 A. If you're -- from my perspective or where I sit in the media  
17 room, they would be to my right.

18 Q. Is there anything that would obstruct the media's view from  
19 seeing the execution gurney when they're located in the media  
20 witness room?

21 A. Should not be, no, ma'am.

22 Q. And if I could show you, please, Plaintiff's Exhibit 114.

23 MS. WIESNER: And Your Honor, given representations of  
24 counsel, I would like to go ahead and put that up on the screen.

25 THE COURT: You may.

1 Q. Does this picture accurately reflect what witnesses can see  
2 from the media witness room during an execution?

3 A. That is correct.

4 Q. Now, you sit in a different room from the media?

5 A. That is correct.

6 Q. And do you have a different viewpoint of the inmate during  
7 executions?

8 A. Yes, ma'am.

9 Q. Would you agree with me that members of the media may have a  
10 better view of the gurney and inmate than you do?

11 A. I wouldn't say a better view. I'd say a different view, but  
12 not a better view.

13 Q. Would you agree with me that members of the media may have a  
14 better view of the witness's face during execution than you do?

15 A. Yes, ma'am.

16 Q. Now, the visual inspection of the mask that we've been  
17 discussing, if a witness is tracking what's going on, would they  
18 be able to see that final inspection?

19 A. They should be able to.

20 Q. And if a witness is tracking what's going on, would they be  
21 able to understand that that was the final inspection, and the  
22 execution is going to proceed?

23 A. They should.

24 Q. Do you know someone by the name of Kim Chandler?

25 A. I do.

1 Q. And do you know her to be fair and accurate in her  
2 reporting?

3 A. Yes, I think Kim's fair and accurate.

4 Q. Do you think she would say any falsehoods in newspaper  
5 articles?

6 A. No, ma'am.

7 Q. Do you know someone by the name of Marty Roney?

8 A. I do.

9 Q. And do you know Mr. Roney to be accurate in his reporting?

10 A. Yes, ma'am.

11 Q. I want to talk to you about some of the executions that have  
12 happened to date, and I'd like to start with Mr. Kenneth Smith.  
13 Did you personally observe Mr. Smith struggle against his  
14 restraints during the execution?

15 A. I did.

16 Q. And would you agree that Mr. Smith shook and writhed on the  
17 gurney for a period of time?

18 A. I probably wouldn't use those descriptive words, but, yes,  
19 he did move.

20 Q. Would you agree with me that Mr. Smith shook and moved on  
21 the gurney for a period of time?

22 A. Yes, ma'am.

23 Q. And is it your opinion that that shaking and writhing  
24 occurred after the nitrogen gas began to flow?

25 A. Some before and some after.

1 Q. So did you observe shaking and writhing after the nitrogen  
2 gas began to flow?

3 A. Yes.

4 MS. SIMPSON: Objection to the characterization of the  
5 testimony of the witness. I don't believe he said he endorsed  
6 the word "writhing."

7 MS. SHARPE: I've asked a question, Your Honor. I'm  
8 doing my best to just ask questions and letting him answer.

9 THE COURT: Overruled. He can answer.

10 Q. Are you aware of the fact that media witnesses have reported  
11 that Mr. Smith was shaking and writhing on the gurney for an  
12 approximate two-minute period of time?

13 A. That is correct.

14 Q. And do you have any reason to disagree with the fact that  
15 there was some movement by Mr. Smith over a two-minute period of  
16 time?

17 A. I'm not going to agree about two minutes continuous, but  
18 over a two-minute period of time, he did move some, yes.

19 Q. Did you give a press conference following Mr. Smith's  
20 execution?

21 A. I did.

22 Q. Do you recall making statements during that press conference  
23 about whether or not Mr. Smith was holding his breath?

24 A. I did.

25 Q. Did you personally observe Mr. Smith holding his breath?

1 A. I was told that by some of the execution staff.

2 Q. So I want to reask my question. Did you personally observe  
3 Mr. Smith holding his breath?

4 A. I did not.

5 Q. And so were the statements that you made at that press  
6 conference based entirely on what other people told you?

7 A. That is correct.

8 Q. I want to turn to Mr. Miller's execution, which was  
9 September 2024. You attended that execution?

10 A. That is correct.

11 Q. And would you agree with me that Mr. Miller also shook on  
12 the gurney for a period of time?

13 A. His body did move for a period of time, yes.

14 Q. And is it your belief that Mr. Miller was shaking after the  
15 nitrogen gas began flowing?

16 A. His body did move after the nitrogen started flowing.

17 Q. The next execution was Mr. Grayson?

18 A. If you say so.

19 Q. Did you attend Mr. Grayson's execution?

20 A. Yes, ma'am.

21 Q. And do you recall there being an execution in November of  
22 2024?

23 A. Yes, ma'am.

24 Q. Did you observe Mr. Grayson making some movements with his  
25 body for a period of time after the warden asked if he could

1 proceed?

2 A. I don't recall Mr. Grayson's execution personally, but they  
3 have all had some type of body movement.

4 Q. Do you recall giving -- you recall that we took your  
5 deposition on March 4th?

6 A. Yes, ma'am.

7 Q. And do you recall that I asked you questions about  
8 Mr. Grayson during the deposition?

9 A. Yes, ma'am.

10 Q. Would it refresh your memory to look at what you testified  
11 to on March 4th?

12 A. It would help.

13 Q. If you could please turn to page 283, line 18, please.

14 A. Okay.

15 Q. Did you observe Mr. Grayson -- does that refresh your  
16 memory?

17 A. Yes, ma'am.

18 Q. Did you observe Mr. Grayson continuing to make some  
19 movements with his body for a period of time after the warden  
20 asked if he could proceed with the execution?

21 A. Yes, ma'am.

22 Q. And did you also see Mr. Grayson shake his head at some  
23 point in time during the execution?

24 A. Yes, ma'am.

25 Q. And at some point in time, did you see Mr. Grayson lift both

1 of his legs up off the gurney?

2 A. I believe he did.

3 Q. Did you attend Mr. Frazier's execution in February 2025?

4 A. Yes, ma'am.

5 Q. And would you agree with me that there was movement by  
6 Mr. Frazier after the nitrogen gas began flowing?

7 A. Yes, ma'am.

8 Q. Did you see Mr. Frazier twirling his wrists in a circular  
9 motion?

10 A. I do remember that happening. If it was Mr. Frazier, yes,  
11 ma'am.

12 Q. And did you see Mr. Frazier lift his legs a few inches off  
13 the gurney at one point during his execution?

14 A. Yes, ma'am.

15 Q. And would you agree with me that you saw movement at various  
16 points in time over a seven- to eight-minute period after the  
17 nitrogen gas began flowing during Mr. Frazier's execution?

18 A. I believe that's correct.

19 Q. You attended Mr. Hunt's execution in June 2025?

20 A. Yes, ma'am.

21 Q. And you would agree with me that Mr. Hunt was, in fact,  
22 making some shaking movements during his execution?

23 A. His body was moving, yes, ma'am.

24 Q. And those movements occurred after you believed that the  
25 nitrogen gas had begun flowing?

1 A. That is correct.

2 Q. You attended Mr. West's execution in September of 2025 as  
3 well?

4 A. Correct.

5 Q. And you saw movement by Mr. West during his execution as  
6 well?

7 A. Yes, ma'am.

8 Q. And the last execution that you attended, that was Mr. Boyd  
9 in October of 2025?

10 A. I believe that's correct.

11 Q. And do you recall that Mr. Boyd also had movement during his  
12 execution?

13 A. Yes, ma'am.

14 Q. And the movement that you saw by Mr. Boyd, that also  
15 occurred after you believed the nitrogen gas had begun flowing?

16 A. Yes, ma'am.

17 Q. Would you agree with me, then, that every inmate who's been  
18 executed to date by nitrogen hypoxia has shown some form of  
19 movement after the nitrogen has begun flowing?

20 A. That is correct.

21 Q. Does ADOC maintain an armory with rifles?

22 A. We do have an armory. It does have rifles.

23 Q. And does ADOC maintain ammunition for the weapons in its  
24 armory?

25 A. Yes, ma'am.

1 Q. Are law enforcement personnel required to undergo basic  
2 training and annual requalification with firearms?

3 A. Yes, ma'am.

4 Q. Could ADOC train people to use .30-caliber rifles for firing  
5 squad if approved by the legislature?

6 A. We could train in rifles, yes.

7 Q. And if the Alabama Legislature approved firing squad as a  
8 method of execution, would you be able to ensure that officers  
9 are trained appropriately in how to use that weapon?

10 A. Whatever weapon was chosen, yes, we would make sure they  
11 were properly trained.

12 Q. Could ADOC procure lumber?

13 A. Yes, ma'am.

14 Q. Could ADOC procure sandbags?

15 A. Yes, ma'am.

16 Q. Could ADOC procure armor steel plates?

17 A. Yes, ma'am.

18 Q. Could ADOC procure bulletproof windows?

19 A. Yes, ma'am.

20 Q. Does Holman have outdoor space that's secured with a  
21 perimeter fence?

22 A. Yes, ma'am.

23 Q. And if the legislature approved firing squad and approved  
24 the funds, could ADOC modify a space at Holman to carry out  
25 executions by firing squad?

1 A. Certainly we could look into that.

2 Q. If firing squad executions became legal in the state of  
3 Alabama and a protocol was enacted, do you believe that you  
4 could modify space at Holman to carry out firing squad  
5 executions?

6 A. We would certainly have to do our research to see what type  
7 of space we would need, and I'm sure we would be able to come up  
8 with an appropriate place.

9 Q. So is the answer to my question yes, that if the Legislature  
10 approved execution by firing squad and appropriated the funds,  
11 ADOC would be able to modify space at Holman to carry out  
12 executions by firing squad?

13 A. Yes.

14 Q. Thank you.

15 MS. WIESNER: I'll pass the witness.

16 MS. SIMPSON: Your Honor, I also have a small witness  
17 binder.

18 THE COURT: All right.

19 MS. SIMPSON: May I approach?

20 THE COURT: Your may.

21 MS. SIMPSON: Your Honor, I have a brief  
22 cross-examination, and then I request the Court's permission to  
23 take the witness on direct. We had also planned to take the  
24 witness on direct. Of course, plaintiff beat us to it.

25 THE COURT: Any objection?

1 MS. WIESNER: I certainly have no opposition to them  
2 doing the direct examination and asking questions within the  
3 scope of my direct. I'm not sure I would agree that they can  
4 take leading questions, as this is their own client.

5 THE COURT: I'm understanding her to say she's going to  
6 cross-examine him, and then after she's done, wants to then take  
7 him on direct. Do you oppose them going ahead and taking him on  
8 direct during your case in chief?

9 MS. WIESNER: No, Your Honor.

10 THE COURT: All right. Go ahead.

11 CROSS-EXAMINATION

12 BY MS. SIMPSON:

13 Q. Begin with cross-examination.

14 Commissioner, have you ever worn a full-face respirator?

15 A. I don't recall.

16 Q. So have you ever conducted a negative pressure test?

17 A. I'm sorry. Yes, I have worn a military gas mask. It is  
18 full face.

19 Q. Do you know what kind of military gas mask that was, sitting  
20 here today?

21 A. That was about 40 years ago. No, ma'am.

22 Q. But have you conducted a negative pressure test?

23 A. On those masks, we did.

24 Q. And how do you conduct a negative pressure test?

25 A. Those particular masks, once you fit them to your head, you

1 would cover the seal with your hand and then breathe out, you  
2 know, push air out.

3 Q. Can you conduct a negative pressure test on someone who  
4 doesn't want to cooperate --

5 A. No, ma'am.

6 Q. -- with the test? And why is that?

7 A. Well, it takes cooperation.

8 Q. Is that because you're cutting off the air supply?

9 A. You're going to have to have them cooperate on pushing the  
10 air out.

11 Q. You were asked some questions about reporters who have  
12 witnessed executions. Do you recall that?

13 A. Yes, ma'am.

14 Q. Do you recall that Mr. Roney reported seeing an IV line  
15 during one of the hypoxia executions?

16 A. Yes, ma'am.

17 Q. Does ADOC endorse the media time lines or descriptions of  
18 any of these articles concerning the hypoxia executions?

19 A. No, ma'am.

20 Q. You were asked about a statement you made during a press  
21 conference concerning Mr. Smith holding his breath.

22 A. Correct.

23 Q. Do you know specifically who gave you the information that  
24 Mr. Smith held his breath?

25 A. I believe it was Cynthia Stewart.

1 Q. Who is Cynthia Stewart?

2 A. At that time, she was regional director. She was over  
3 several prisons, Holman being one, and she's the former warden  
4 at Holman prison.

5 Q. Was she present at Mr. Smith's execution?

6 A. Yes, ma'am. She was in the chamber.

7 Q. Do you think she had a better view than you did?

8 A. She was right next to him. Yes, ma'am.

9 Q. You were asked some questions about movements that  
10 Mr. Grayson made after you cleared the way for execution. Do  
11 you recall that?

12 A. Yes, ma'am.

13 Q. What sort of movements did he make?

14 A. Grayson and all the inmates that have been executed, they've  
15 all had some type of body movements. They have had the raising  
16 of the legs. They've all had some type of body movement.

17 Q. Do you expect to see movement during hypoxia executions?

18 A. Yes, ma'am.

19 Q. Why is that?

20 A. That's just -- from information, when the oxygen is cut off,  
21 your body is going to have movement.

22 Q. Do you know specifically when the nitrogen begins to flow  
23 during an execution?

24 A. No, ma'am.

25 Q. Do you know when the inmates lose consciousness?

1 A. No, ma'am.

2 Q. And do you know whether all inmate movements made during  
3 hypoxia executions are consciously made?

4 A. No, ma'am.

5 MS. SIMPSON: At this point, Your Honor, I would  
6 request to turn over to direct examination.

7 THE COURT: All right. Let me ask this. Do you want  
8 an opportunity to redirect before they move into direct?

9 MS. WIESNER: Your Honor, for efficiency purposes, I  
10 would suggest I do all of my questions at the end, if counsel  
11 doesn't oppose.

12 MS. SIMPSON: No opposition here.

13 THE COURT: All right. Let's do that.

14 DIRECT EXAMINATION

15 BY MS. SIMPSON:

16 Q. Commissioner, have you testified in a hypoxia execution  
17 litigation before?

18 A. Yes, ma'am.

19 Q. Do you recall testifying in the Demetrius Frazier case?

20 A. I'm sure I did.

21 Q. Do you recall testifying in the Anthony Boyd case?

22 A. I'm sure I did.

23 Q. I would ask you at this time just to look at Exhibits 5 and  
24 11 in your binder there.

25 MS. SIMPSON: Your Honor, I would note that these have

1 been pre-agreed for admission.

2 THE COURT: These have been admitted?

3 MS. SIMPSON: Yes.

4 THE COURT: Okay. Go ahead.

5 Q. Sir, do you recognize Defendants' Exhibits 5 and 11?

6 A. Yes, ma'am.

7 Q. And what are they?

8 A. These are transcripts of my testimony in this court in  
9 Demetrius Frazier and Anthony Boyd.

10 Q. Do you stand by your prior testimony?

11 A. Yes, ma'am.

12 Q. Is there anything in your prior testimony that you would  
13 change here today?

14 A. No, ma'am.

15 Q. Now, Mr. Lee has suggested that he would prefer to be  
16 executed via firing squad. Is that method currently available  
17 to ADOC?

18 A. No, ma'am.

19 Q. Does ADOC have a protocol for a firing squad?

20 A. No, ma'am.

21 Q. Training for a firing squad?

22 A. No, ma'am.

23 Q. Does ADOC have a facility today in which you could safely  
24 carry out a firing squad execution?

25 A. No, ma'am.

1 Q. Have you investigated implementing a firing squad protocol?

2 A. No, ma'am.

3 Q. Why not?

4 A. It is not the law of Alabama, it's not an approved execution  
5 method, so I have other things I can be doing.

6 Q. Sitting here today, can you identify five ADOC employees who  
7 you consider to be sufficiently skilled and willing to carry out  
8 a firing squad execution?

9 A. Not at this time.

10 Q. Do you have firearms training?

11 A. Yes, ma'am.

12 Q. What sort of firearms training do you have?

13 A. Handgun, long gun, or rifle.

14 Q. And where were you trained?

15 A. Started at Northeast Alabama Police Academy and then the  
16 Federal Law Enforcement Training Center in Glynco, Georgia.  
17 Then Tuscaloosa Academy and -- I'm sure there are some others in  
18 there.

19 Q. Are you trained to the level of a marksman?

20 A. No, ma'am. Well, Alabama Peace Officers Standards Training  
21 Commission, they're the ones that regulate firearms training or  
22 basic training for correctional officers and law enforcement in  
23 the state of Alabama, and they really do not have a  
24 classification. They give a numerical score. You have to shoot  
25 at least a 70 to pass, and 100 is the top score. But there is

1 no breakdown. Like at FLETC, Federal Law Enforcement Training  
2 Center, they did have different grades of marksman or, you know,  
3 you're a good shot or you just passed.

4 Q. The training standard for the state, is that commonly called  
5 APOSTC?

6 A. That is correct. That's Alabama Peace Officers Standard  
7 Training Commission -- everybody refers to it around here as  
8 APOSTC.

9 Q. Do you have to qualify under APOSTC personally?

10 A. Yes, ma'am, I do.

11 Q. Dare I ask, what's your qualification score?

12 A. Oh, it's kind of off. 96 was my last one.

13 Q. Thank you, sir.

14 As commissioner -- well, let's see. What role do you play  
15 in selecting members of the execution team?

16 A. I do not select members of the execution team.

17 Q. As the commissioner, could you compel ADOC employees to  
18 participate in executions?

19 A. No, ma'am.

20 Q. Why not?

21 A. That is -- it's kind of like when I was state police, I  
22 could not compel any agent to work internet crimes against  
23 children because of the type crimes they were. You had to  
24 volunteer to investigate those type crimes. So an execution,  
25 you would have to volunteer, but it depends on how the law would

1 be written and passed. Current law, the warden at Holman prison  
2 is the executioner. So that would have to be taken into account  
3 in any new legislation.

4 Q. Let's talk briefly about the execution chamber. Are you  
5 familiar with the execution chamber at Holman?

6 A. Yes, ma'am.

7 Q. Do you believe it could be used for a firing squad  
8 execution?

9 A. I think it's too small.

10 Q. Any other reasons why or why not?

11 A. I would -- yeah. I mean, just -- I think it would probably  
12 not -- be cost prohibitive to try to retrofit or remodel that,  
13 because we still have electrocution, lethal injection, nitrogen  
14 hypoxia, so all those methods are still available. So I don't  
15 think it could be retrofit to where you could have a firing  
16 squad and conduct those other three executions if so selected.

17 Q. In your opinion, is there another place at Holman that could  
18 be easily used for a firing squad execution?

19 A. Probably. Now, there's a lot of planning that would have to  
20 go into it. I think it would probably have to be constructed.

21 Q. So is there a currently standing facility at Holman that you  
22 believe could be used?

23 A. No, ma'am.

24 Q. What about the decommissioned part of Holman?

25 A. Well, it was decommissioned for a reason, so, no, I do not

1 think that would be acceptable.

2 Q. How about outside the prison, within the fence but outside  
3 the physical building?

4 A. That's what I'm saying. We would have to actually build  
5 probably a structure, would probably be the best choice.

6 Q. Did you witness Anthony Boyd's execution in October of 2025?

7 A. Yes, ma'am.

8 Q. And what kind of execution was that?

9 A. Nitrogen.

10 Q. Where did you sit?

11 A. We sit in the middle observation room. I sit front row, far  
12 right seat.

13 Q. Did you authorize the execution to proceed?

14 A. Yes, ma'am.

15 Q. Did you see Mr. Boyd make any movements?

16 A. Sure. Yeah, Mr. Boyd made movements.

17 Q. Were you concerned by those movements?

18 A. No, ma'am.

19 Q. Why not?

20 A. Like I said, that's to be expected.

21 Q. Sitting here today, do you know when Mr. Boyd lost  
22 consciousness?

23 A. No, ma'am.

24 Q. Do you know when he died?

25 A. We have a death time that we give out, but I do not know

1 when he quit breathing, no, ma'am.

2 Q. Is the official death time the time he quits breathing?

3 A. No, ma'am.

4 Q. Can you see the EKG during an execution?

5 A. No, ma'am.

6 Q. Can you see the pulse oximeters?

7 A. No, ma'am.

8 MS. SIMPSON: I have nothing further of the witness.

9 THE COURT: All right. Redirect and cross-examination.

10 REDIRECT EXAMINATION

11 BY MS. WIESNER:

12 Q. Mr. Hamm, you were asked a question about whether or not you  
13 know exactly when the nitrogen gas begins to flow. Do you  
14 recall that?

15 A. Yes, ma'am.

16 Q. Are there codes given during the execution that indicate  
17 when the execution can proceed?

18 A. Yes, ma'am, they have codes.

19 Q. And are you aware of those codes being given during the  
20 execution?

21 A. Not all the time, no, ma'am.

22 Q. Do you, yourself, have a role in authorizing the execution  
23 to proceed?

24 A. I do.

25 Q. And you inform the warden when the execution -- when there's

1 final authority to proceed with the execution?

2 A. The warden reads the death warrant to the inmate. Once he  
3 concludes reading the death warrant, he asks the inmate if he  
4 would like to make a final statement. After that the warden  
5 exits the chamber. Some period of time after that, he comes  
6 over the intercom and asks to proceed. I then ask the Attorney  
7 General if there is any legal reasons not to proceed, and if I  
8 get a negative, then I tell the warden to proceed.

9 Q. So even if you don't know the precise time that the nitrogen  
10 gas is flowing, you have an approximate estimate of when the  
11 execution proceeds and the nitrogen gas begins to flow?

12 A. I know when the warden has the authority to start the gas.

13 Q. And in your experience, does the execution typically proceed  
14 shortly after you give the authority to start the gas?

15 A. Some period of time after that, yes.

16 Q. But a short period of time?

17 A. I assume. He's -- I cannot see the warden when he does  
18 that.

19 Q. Now, you were also asked some questions about your  
20 statements that Mr. Smith was holding his breath. Were you  
21 relying entirely on information provided by Ms. Stewart for the  
22 statement that Mr. Smith was holding his breath?

23 A. Correct.

24 Q. Did you ever ask Ms. Stewart for how long Mr. Smith was  
25 holding his breath?

1 A. No, ma'am.

2 Q. So sitting here today, you have no idea how long Mr. Smith  
3 was or was not holding his breath?

4 A. That is correct.

5 Q. You were also asked some questions about whether or not the  
6 current execution chamber would accommodate execution by firing  
7 squad. Have you undertaken any efforts to understand what would  
8 be required from a physical space perspective?

9 A. No, ma'am.

10 Q. And have you spoken with anyone to confirm whether or not  
11 the execution chamber at Holman is large enough to accommodate  
12 execution by firing squad?

13 A. No, ma'am.

14 MS. WIESNER: Nothing further.

15 THE COURT: All right. Any further questions?

16 Is this redirect?

17 MS. SIMPSON: Redirect, Your Honor. And just one  
18 question.

19 REDIRECT EXAMINATION

20 BY MS. SIMPSON:

21 Q. Commissioner, based upon your experience with and training  
22 in firearms, would you authorize a firing squad execution in the  
23 chamber at Holman as it stands?

24 A. No, ma'am.

25 Q. Thank you.

1 THE COURT: All right. Anything further? All right.  
2 You can step down. Thank you.

3 Is this witness excused, or are you staying?

4 MS. SIMPSON: We have nothing further for this witness,  
5 Your Honor.

6 THE COURT: Okay. It is 5:00. I am open to moving  
7 forward with the next witness, depending upon how long that  
8 witness will be, or we can reconvene tomorrow. Why don't you  
9 confer for a moment and let me know what you would like to do.

10 MS. WIESNER: Your Honor, I think our next witness is  
11 likely no more than 30 minutes. If Your Honor is okay  
12 proceeding, we would be happy to proceed with him and then pick  
13 back up.

14 MS. SIMPSON: We're fine. Can we have a very brief  
15 comfort break?

16 THE COURT: Certainly. And one housekeeping matter, it  
17 appears to us as though you have not actually admitted  
18 Defendants' Exhibits 5 or 11.

19 MS. SIMPSON: We would move for their admission. I  
20 believe there was no argument to their admissibility.

21 THE COURT: Those are the transcripts from Frazier and  
22 Boyd?

23 MS. WIESNER: I think, Your Honor, we had actually  
24 agreed to preadmit those exhibits. Certainly no objection now.

25 THE COURT: All right. They're admitted, just so the

1 record is clear.

2 All right. We'll take a ten minute recess.

3 (Recess was taken at 5:02 p.m. until 5:12 p.m., at which  
4 time the proceedings reconvened, as follows:)

5 THE COURT: The plaintiff will call its next witness.

6 MS. WIESNER: Thank you, Your Honor. Plaintiff Lee  
7 calls Deputy Commissioner Williams to the stand.

8 Your Honor, again, I have witness binders for  
9 Mr. Williams. May I approach?

10 THE COURT: Please.

11 MS. WIESNER: For the record, one of the exhibits is  
12 marked as highly confidential. I'm, again, hoping that I don't  
13 need to use it.

14 THE COURT: All right.

15 CHARLES WILLIAMS

16 The witness, having first been duly sworn to speak the  
17 truth, the whole truth and nothing but the truth, testified as  
18 follows:

19 DIRECT EXAMINATION

20 BY MS. WIESNER:

21 Q. Good afternoon.

22 A. Good afternoon.

23 Q. Can you please state your full name for the record.

24 A. Charles Williams.

25 Q. And are you currently employed as the deputy commissioner of

1 the Alabama Department of Corrections?

2 A. Yes.

3 Q. Are you aware that the Alabama Department of Corrections  
4 designated you as its corporate representative in a deposition  
5 in this proceeding?

6 A. Yes.

7 Q. And if I could turn your attention, please, to Plaintiff's  
8 Exhibit 34, which is in the binder I handed you.

9 MS. WIESNER: Your Honor, Exhibit 34 has been  
10 previously admitted into evidence.

11 Q. Do you recognize this document, Mr. Williams?

12 A. Plaintiff's Exhibit 34, yes.

13 Q. And if you take a look at -- looks like page 3, there should  
14 be a topic of schedules -- I'm sorry -- schedule of topics  
15 listed. Do you see that?

16 A. Page 3. From the beginning?

17 MS. WIESNER: Court's indulgence. The printed copy  
18 appears to have a missing page, but perhaps we could put it up  
19 on the screen.

20 A. Yes.

21 MS. WIESNER: I stand corrected. The page is simply  
22 out of order.

23 Q. Mr. Williams, have you located the topics of examination in  
24 Plaintiff's Exhibit 34?

25 A. Yes. I have Exhibit 34, definitions and instructions,

1 Schedule A.

2 Q. And did you, in fact, provide testimony in a deposition on  
3 March 5th and March 17th on the topics listed in Schedule A?

4 A. Yes.

5 Q. What year did the Alabama Legislature approve the use of  
6 nitrogen hypoxia as a method of execution?

7 A. 2018.

8 Q. And when was the protocol for execution by nitrogen hypoxia  
9 finalized?

10 A. August 2023.

11 Q. Prior to 2023, had anyone in the United States, to your  
12 knowledge, been executed by nitrogen gas?

13 A. No.

14 Q. And to your knowledge, were any medical doctors or  
15 scientists involved in the development of the protocol for  
16 execution by nitrogen gas?

17 A. No.

18 Q. Were all of the people responsible for developing -- well,  
19 strike that.

20 Are you aware of the fact that people on behalf of ADOC's --  
21 on behalf of ADOC conducted some tests or demonstrations of the  
22 nitrogen hypoxia system at various points in time?

23 A. Yes.

24 Q. And to your knowledge, were all of the people who were  
25 responsible for developing and administering those tests all

1 attorneys?

2 A. Further define administering and developing the tests. As  
3 that pertains to just the actual testing itself? The ones that  
4 were involved in the studies?

5 Q. Are you aware of any tests conducted as part of the  
6 development of the protocol of nitrogen hypoxia that were  
7 developed by anyone other than an attorney?

8 A. No.

9 Q. And to your knowledge, do any of the attorneys who were  
10 responsible for developing those tests or the protocol have any  
11 type of scientific or research background?

12 A. Not to my knowledge.

13 Q. To your knowledge, did any of the attorneys responsible for  
14 developing the protocol for execution by nitrogen gas consult  
15 with any independent or third-party experts?

16 A. Not in the development of the protocol of it, just as it  
17 relates to how the outcome would be.

18 Q. So is the answer to my question that you are not aware of  
19 anyone -- sorry. Is the answer to my question that you're not  
20 aware of any consultation with independent or third-party  
21 experts in the development of the protocol?

22 A. No, not in the development of it.

23 THE COURT: Can you speak more directly into the  
24 microphone, please.

25 THE WITNESS: Yes, ma'am. I'm sorry.

1 Q. And you're aware that attorneys with the Attorney General's  
2 office conducted some testing of the nitrogen hypoxia system?

3 A. Yes.

4 Q. Did any medical professionals oversee that testing conducted  
5 by the Attorney General's office?

6 A. No.

7 Q. Did any scientists oversee that testing?

8 A. No.

9 Q. Does ADOC take any real-time measurements of the oxygen  
10 level in a mask during an execution by nitrogen hypoxia?

11 A. The nitrogen inside the mask?

12 Q. Yes, sir.

13 A. No.

14 Q. Is there any documentation that reflects when each inmate  
15 loses consciousness?

16 A. No.

17 Q. Are inmates restrained to a gurney as part of execution by  
18 nitrogen gas?

19 A. Yes.

20 Q. And are the inmates restrained pretty firmly against the  
21 gurney?

22 A. Yes.

23 Q. Would you agree with me that as a result of those  
24 restraints, the inmate's movement is very restricted?

25 A. It's restricted, yes.

1 Q. Would you agree with me that their movement is very  
2 restricted?

3 A. Movement is restricted, but breathing is not.

4 Q. Would you agree with me that the inmate's movement is very  
5 restricted as a result of being restrained?

6 A. Yes.

7 Q. Now, Mr. Williams, you've observed several executions by  
8 nitrogen gas that took place at Holman?

9 A. Yes.

10 Q. And if I have my notes correct, I believe that was the  
11 executions of Mr. Miller, Mr. Grayson, Mr. Frazier, Mr. Hunt,  
12 and Mr. Boyd?

13 A. Yes.

14 Q. And where were you located in each of those executions?

15 A. In the commissioner's witness room.

16 Q. Did you observe movement during the nitrogen hypoxia  
17 execution by the inmates?

18 A. Yes.

19 Q. Did you also observe in multiple different executions that  
20 the inmates raised their legs for a period of time?

21 A. Yes.

22 Q. Did you observe some of the inmates raising their legs in a  
23 coordinated fashion?

24 A. Slowly went up and slowly went down.

25 Q. So, again, have you observed inmates raising their legs in a

1 coordinated fashion?

2 MS. SIMPSON: Objection. It's outside the witness's  
3 knowledge whether the movements were coordinated or not.

4 THE COURT: Overruled. He can answer if he believes he  
5 can.

6 A. Coordinated as in them being conscious or unconscious? Is  
7 that -- can you explain?

8 Q. Sir, I'm not asking for your interpretation of their  
9 consciousness. I'm simply asking whether you observed what  
10 appeared to be coordinated movement.

11 A. I have observed in all executions that they all have raised  
12 their legs at one point.

13 Q. And would you agree with me that you have observed inmates  
14 raising their legs in what appeared to be a coordinated fashion?

15 A. They're together.

16 Q. So in other words, it's not random jerking. It's both legs  
17 coming off the table at the same time?

18 A. In all executions, at the same time, yes.

19 Q. Have you also observed in some executions that at some point  
20 in time after the nitrogen gas begins flowing that the inmates  
21 have clenched their fists?

22 A. Yes.

23 Q. So I want to turn to the execution of Mr. Miller, which was  
24 in September of 2024. Would you agree with me that you do not  
25 dispute that Mr. Miller was shaking on the gurney for

1 approximately two minutes following the administration of  
2 nitrogen gas?

3 A. There was movement.

4 Q. And would you agree with me that there was movement for  
5 approximately two minutes following the administration of  
6 nitrogen gas?

7 A. I didn't time it.

8 Q. Do you have any reason to dispute that he was -- there was  
9 movement for approximately two minutes following the  
10 administration of nitrogen gas?

11 A. There was movement. I didn't time it, again.

12 Q. I want to turn to Mr. Frazier's execution, which was in  
13 February of 2025. Do you agree that at one point in time  
14 following the nitrogen gas, Mr. Frazier appeared to quiver and  
15 twitch on the gurney?

16 A. Again, there was movement.

17 Q. Would you agree with me that possibly due to the nitrogen  
18 and the depletion of oxygen that at one point in time following  
19 nitrogen gas, Mr. Frazier appeared to quiver and twitch on the  
20 gurney?

21 A. Oxygen deprivation causes that.

22 Q. So would --

23 A. Agonal breathing.

24 Q. I'm sorry. I didn't mean to cut you off.

25 A. Oxygen deprivation causes that.

1 Q. So would you agree with me that at one point in time  
2 following the nitrogen gas, Mr. Frazier appeared to quiver and  
3 twitch on the gurney?

4 A. I agree with movement. Quiver and twitch is not necessarily  
5 the characterization that I would use.

6 Q. And while you may not know the exact time, do you agree that  
7 Mr. Frazier was making movements for a period of seven to eight  
8 minutes?

9 A. Again, there was movement. I didn't time it.

10 Q. Would you agree with me that although you don't know the  
11 exact time, it's possible Mr. Frazier was making movements for a  
12 period of seven to eight minutes?

13 A. There was movement. I didn't -- again, I didn't time it to  
14 see how long it was.

15 Q. Is it possible that it was seven to eight months?

16 A. It's possible that it was not also.

17 Q. But it could have been seven to eight minutes, true?

18 A. I can't agree to that.

19 Q. You recall I took your deposition on March 5th, 2026?

20 A. Yes.

21 MS. WIESNER: Permission to approach, Your Honor.

22 THE COURT: You may.

23 Q. Mr. Williams, if you could turn with me, please, to page 255  
24 of the March 5th deposition.

25 A. Okay.

1 Q. And if you look beginning at line four, do you see I asked,  
2 Question: "You just don't know how long there was movement  
3 for?" Answer: "I don't know." Question: "Is it possible it  
4 was seven to eight minutes?" Answer: "Could have been shorter.  
5 Don't know." Question: "But it could have been seven to eight  
6 minutes?" Answer: "It's possible."

7 Did I read that correctly?

8 A. You did.

9 Q. And did you, in fact, agree during your March 5th deposition  
10 that it was possible the movement could have been for a period  
11 of seven to eight minutes?

12 A. I also agreed that it could have been shorter.

13 Q. Did you testify at your March 5th deposition that it was  
14 possible the movement lasted for seven to eight minutes?

15 A. I did say it was possible.

16 Q. And do you also agree that Mr. Frazier lifted his legs a few  
17 inches off of the gurney?

18 A. Yes.

19 Q. And that could also have happened at some point in time  
20 after the nitrogen gas began flowing?

21 A. Yes.

22 Q. Turning to Mr. Hunt's execution, do you agree that Mr. Hunt  
23 had some shaking movements?

24 A. Some movement, yes.

25 Q. Do you agree that there were movements following the

1 administration of nitrogen gas?

2 A. Yes.

3 Q. And then turning to Mr. Boyd's execution, do you recall that  
4 Mr. Boyd did, in fact, lift his legs off the gurney?

5 A. Yes.

6 Q. And do you agree that Mr. Boyd lifted his legs off the  
7 gurney after the nitrogen gas began flowing?

8 A. Yes.

9 Q. Would you agree with me that once the oxygen is turned off  
10 and the nitrogen gas begins flowing, there is some awareness by  
11 the inmates that the oxygen has stopped?

12 A. Yes.

13 Q. And do you agree with me that the entire time the individual  
14 is conscious, they're aware of the fact that they're not getting  
15 enough oxygen?

16 A. They're aware of the entire procedure. Yes.

17 Q. And you would agree with me that the entire time the  
18 individual is conscious, they're aware of the fact that they're  
19 not getting enough oxygen?

20 A. They're aware of the nitrogen hypoxia. The nitrogen is now  
21 flowing, and the breathing air is turned off.

22 Q. And that means certainly for the entire time they're  
23 conscious, they're aware that they are unable to get sufficient  
24 oxygen?

25 A. Yes.

1 Q. And would you agree with me that the natural response to not  
2 getting enough oxygen is to panic?

3 A. Yes.

4 Q. Now, you testified that prior to 2018, the Alabama  
5 Legislature had not authorized execution by nitrogen hypoxia; is  
6 that fair?

7 A. Yes.

8 Q. Does that mean that there was no protocol for execution by  
9 nitrogen hypoxia prior to 2018?

10 A. There was no protocol for ADOC.

11 Q. So in other words, Alabama did not have a protocol to  
12 execute by nitrogen hypoxia prior to 2018. Is that fair?

13 A. Yes.

14 Q. And is it also fair that prior to 2018, the execution  
15 chamber at Holman would not have been set up to carry out an  
16 execution by nitrogen hypoxia?

17 A. Yes.

18 Q. But was ADOC able to develop a protocol for execution by  
19 nitrogen hypoxia?

20 A. Yes.

21 Q. And was ADOC able to develop training materials for the  
22 execution team members to carry out an execution by nitrogen  
23 hypoxia?

24 A. They had training, yes.

25 Q. And would you agree with me that if the Alabama Legislature

1 was to authorize execution by firing squad, ADOC could contract  
2 with the appropriate structural engineers to figure out what  
3 modifications might be required to the execution chamber?

4 A. That's a hypothetical question.

5 Q. And in my hypothetical question, if the Legislature approved  
6 execution by firing squad, would ADOC be able to contract with  
7 the appropriate structural engineers to determine what  
8 modifications would be required?

9 A. I have to speak -- I have to give you a hypothetical answer,  
10 counsel. I mean, if, in fact, it was approved, we would  
11 contract, find ways in order to accommodate.

12 Q. So you would agree with me, then, that if the State  
13 Legislature did approve firing squad as a method of execution,  
14 ADOC would be able to figure out how to contract with the  
15 appropriate vendors?

16 A. Again, it's a hypothetical question, counsel. I mean, if,  
17 in fact, the Legislature approved that, then we would actually  
18 seek measures in order to accommodate that method of execution.

19 Q. So is the answer to my question yes, if the State  
20 Legislature approved this as a method of execution, ADOC would  
21 know or be able to figure out how to contract with the  
22 appropriate vendors to modify an execution chamber?

23 A. Again, it's a hypothetical question. I have to give a  
24 hypothetical answer, counsel. Yes, hypothetically.

25 Q. If the State were to approve execution by firing squad,

1 could ADOC figure out how to train people to use a .30-caliber  
2 rifle for use in execution by firing squad?

3 A. Again, I'm going to speak to that all in the hypothetical.  
4 Yes.

5 Q. There's nothing that would make it infeasible to train  
6 people on the proper use of a .30-caliber rifle for firing squad  
7 executions?

8 A. If that was approved, yes.

9 Q. And just to make sure I have a clear answer from you, if the  
10 Legislature approved execution by firing squad, you're not aware  
11 of anything that would make it impossible to train members to  
12 use a .30-caliber rifle, are you?

13 A. No.

14 Q. And, in fact, ADOC personnel -- or ADOC does have some  
15 personnel who are already trained on the use of a .30-caliber  
16 rifle?

17 A. Yes.

18 Q. And do you agree with me that if, again, the State  
19 Legislature approved execution by firing squad, ADOC would be  
20 able to figure out how to appropriately secure an inmate for  
21 execution by firing squad?

22 A. If that were to happen, we would, hypothetically.

23 Q. And, again, you would agree with me that if the State  
24 Legislature were to approve execution by firing squad, ADOC  
25 would be able to staff an execution team?

1 A. Yes.

2 Q. Thank you.

3 MS. WIESNER: I pass the witness.

4 THE COURT: Cross-examination.

5 MS. SIMPSON: Thank you, Your Honor.

6 CROSS-EXAMINATION

7 BY MS. SIMPSON:

8 Q. Mr. Williams, have you ever been restrained to the execution  
9 gurney at Holman?

10 A. No, I have not.

11 Q. What reasons might DOC have for using restraints on that  
12 gurney?

13 A. The restraints would be -- I mean, if it's actually a lethal  
14 injection, or if it's nitrogen hypoxia, the individual knows  
15 that death is going to be imminent, so there would be some  
16 anxiousness. There would be involuntary movements. Safety  
17 concerns. There's a variety of reasons why you would have to  
18 put restraints on that gurney.

19 Q. And what do you mean by safety reasons?

20 A. You don't want the individual maybe to fall on the floor,  
21 and he could bump his head or get other injuries. The staff  
22 inside the chamber could be at risk.

23 Q. Is it possible that the inmate could decide he didn't want  
24 to be executed?

25 A. That's possible.

1 Q. All right. In the hypoxia executions you've observed, did  
2 you expect to see movement during those executions?

3 A. Yes.

4 Q. Why?

5 A. With the flow of nitrogen and the deprivation of oxygen, it  
6 causes agonal breathing. It causes involuntary movements. So,  
7 yes, I did -- we did expect to see movement.

8 Q. You were asked the question about legs moving in a  
9 coordinated fashion. Do you recall that?

10 A. Yes.

11 Q. Do you know, sitting here, whether those legs actually moved  
12 in a coordinated fashion?

13 A. No, I do not.

14 Q. Do you know whether the inmate consciously moved his legs?

15 A. No, I do not know if it was consciously moving; however,  
16 with the studies that showed how quickly the oxygen goes from  
17 the body once the nitrogen flows, unconsciousness is within a  
18 matter of minutes.

19 Q. You were asked about the possibility of an inmate who was  
20 not getting enough oxygen experiencing panic. Do you remember  
21 that?

22 A. Yes.

23 Q. Would you expect an inmate who's about to be executed to  
24 experience anxiety, just period?

25 A. Yes.

1 Q. How about fear?

2 A. Yes.

3 Q. Terror?

4 A. Yes.

5 Q. Psychological distress?

6 A. Yes.

7 Q. Regardless of the method of execution?

8 A. Correct.

9 Q. Why is that?

10 A. Well, your life is about to end, so it's anticipation, first  
11 of all, of the unknown, and that tomorrow now is not promised to  
12 you. So, yes.

13 Q. You were asked some questions about what ADOC could do to  
14 start a firing squad execution if it were legalized. You were  
15 specifically asked -- mentioned modifications to the chamber.  
16 Do you believe the chamber at Holman could be safely used today  
17 for a firing squad execution?

18 A. Currently, no.

19 Q. Do you believe it could be modified for a firing squad  
20 execution?

21 A. I think it would be very difficult based on the -- where  
22 it's located at. Even if you turned it around, you still have  
23 individuals in dormitories that bullets could possibly penetrate  
24 if not built effectively.

25 Q. Do you have firearms training, sir?

1 A. Yes.

2 Q. In what kind of firearms?

3 A. I was previously military. AR-15's, shotguns.

4 Q. Have you fired high-velocity rounds in a small space?

5 A. Yes, I have.

6 Q. And based upon that knowledge, would you shoot a  
7 high-velocity round in the chamber?

8 A. No.

9 Q. Do you recall specifically when in 2018 the hypoxia changed  
10 to the -- the statutes went into law?

11 A. Approximately March.

12 Q. Okay. And do you remember when the first hypoxia execution  
13 was?

14 A. I can't recall exactly. It was Smith, 2024.

15 Q. Was that early or late 2024?

16 A. October seems to ring a bell, but I'm not positive.

17 Q. Would you agree with me that it was about five and a half  
18 years from the time that the hypoxia went into law to the time  
19 DOC had the first hypoxia execution?

20 A. Yes.

21 MS. SIMPSON: Nothing further, Your Honor. Thank you.

22 THE COURT: All right. Any redirect?

23 MS. WIESNER: No, Your Honor.

24 THE COURT: Okay. You can step down. Thank you.

25 All right. So housekeeping, then, for tomorrow. We'll

1 plan to get started at 9:00 in the morning.

2           How many witnesses does the plaintiff still have? Am I  
3 correct that you have one more?

4           MS. SHARPE: Yes, Your Honor, we have one more.

5           THE COURT: All right. And what's the expectation for  
6 ADOC?

7           MS. SIMPSON: We'll be calling Mr. Houts, Warden  
8 McKenzie, Warden Clemons, definitely Dr. Antognini, and possibly  
9 Mr. Lee. I'm sorry. And possibly Warden Raybon as well. But  
10 most of ours are fact witnesses, Your Honor, so --

11           THE COURT: Do you think we will finish up tomorrow or  
12 go into Wednesday?

13           MS. SIMPSON: I will be as expedient as possible, Your  
14 Honor.

15           THE COURT: All right. Then so shall I.

16           All right. You can leave your things in here. We will  
17 secure the courtroom. And you can, obviously, get here as early  
18 as the court opens if you want to be in here. I think that's --  
19 7:30 is the earliest I think you can get in. And then we'll get  
20 started promptly at 9:00.

21           All right. Have a good evening.

22           (Proceedings concluded at 5:41 p.m.)

23                           \* \* \* \* \*

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

COURT REPORTER'S CERTIFICATE

I certify that the foregoing is a correct transcript  
from the record of the proceedings in the above-entitled matter.

This 4th day of May, 2026.

/s/ Patricia G. Starkie  
Registered Diplomate Reporter  
Certified Realtime Reporter  
Official Court Reporter