

ORIGINAL

OFFICIAL TRANSCRIPT  
PROCEEDINGS BEFORE  
THE SUPREME COURT  
OF THE  
UNITED STATES

CAPTION: OKLAHOMA AND TEXAS, Petitioner  
v. NEW MEXICO  
CASE NO: 109 Original  
PLACE: Washington, D.C.  
DATE: April 16, 1991  
PAGES: 1 - 48

LIBRARY  
SUPREME COURT, U.S.  
WASHINGTON, D.C. 20543

ALDERSON REPORTING COMPANY  
1111 14TH STREET, N.W.  
WASHINGTON, D.C. 20005-5650  
202 289-2260

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

IN THE SUPREME COURT OF THE UNITED STATES

- - - - - X  
OKLAHOMA AND TEXAS, :  
Plaintiffs :  
v. : No. 109 Original  
NEW MEXICO :  
- - - - - X

Washington, D.C.  
Tuesday, April 16, 1991

The above-entitled matter came on for oral  
argument before the Supreme Court of the United States at  
12:59 p.m.

APPEARANCES:

MARIAN MATTHEWS, ESQ., Deputy Attorney General of New  
Mexico, Santa Fe, New Mexico; on behalf of the  
Defendant.

PAUL ELLIOTT, ESQ., Assistant Attorney General of Texas,  
Austin, Texas; on behalf of the Plaintiff Texas.

R. THOMAS LAY, ESQ., Special Counsel for Oklahoma,  
Oklahoma City, Oklahoma; on behalf of the Plaintiff  
Oklahoma.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C O N T E N T S

	PAGE
ORAL ARGUMENT OF	
MARIAN MATTHEWS, ESQ.	
On behalf of the Defendant	
PAUL ELLIOTT, JR.	
On behalf of the Plaintiff Texas	
R. THOMAS LAY, ESQ.	
On behalf of the Plaintiff Oklahoma	

1 P R O C E E D I N G S

2 (12:59 p.m.)

3 CHIEF JUSTICE REHNQUIST: We'll hear argument  
4 now in No. 109 Original, Oklahoma and Texas v. New Mexico.

5 Ms. Matthews.

6 ORAL ARGUMENT OF MARIAN MATTHEWS

7 ON BEHALF OF THE DEFENDANT

8 MS. MATTHEWS: Mr. Chief Justice, and may it  
9 please the Court:

10 This is an original proceeding involving the  
11 states of New Mexico, Texas, and Oklahoma, and it involves  
12 the Canadian River Compact, a compact negotiated and  
13 signed by the parties in 1950 and adopted by Congress in  
14 1952. New Mexico takes exception to Part VII of the  
15 Special Master's Report. The issue is whether under the  
16 compact New Mexico is entitled to unrestricted storage of  
17 all waters of the Canadian River originating above Conchas  
18 Dam. New Mexico submits that it is entitled to such  
19 storage, unrestricted either as to quantity or as to place  
20 under the terms of the compact.

21 The Canadian River rises in northeast New Mexico  
22 and it flows through the panhandle of Texas eventually  
23 over into Oklahoma. There are three dams along the way  
24 which are of interest in this litigation. The first, in  
25 New Mexico, is the Conchas Dam, built in 1939, 11 years

1 before the compact was ever signed. 65 river miles  
2 further east is Ute Dam, or Ute Reservoir, which is also  
3 located in the State of New Mexico, and was built in 1963.  
4 And then another 165 river miles further east is Lake  
5 Meredith, which is located north of Amarillo, Texas, and  
6 was built in 1964.

7           There are two provisions of the compact which  
8 are at issue in this litigation to the extent that New  
9 Mexico takes exception with the Master's Report. We  
10 believe that the language of those two provisions is  
11 critical. The compact itself is set forth as an appendix  
12 either to the Special Master's Report or to New Mexico's  
13 brief.

14           QUESTION: Are the provisions of the compact  
15 that you are talking about in your brief?

16           MS. MATTHEWS: Yes. It's Appendix A to New  
17 Mexico -- excuse me, Appendix A to New Mexico's brief,  
18 Your Honor. And specifically, the part that I'm going to  
19 ask the Court to look at is on page 2a. It is Article  
20 IV(a) and Article IV(b). Article IV(a) gives New Mexico  
21 free and unrestricted use of all waters originating in the  
22 drainage basin on the Canadian River above the Conchas  
23 Dam. And Article IV(b) gives New Mexico free and  
24 unrestricted use of all waters originating below the  
25 Conchas Dam, subject to a 200,000 acre-foot conservation

1 storage limitation on waters originating below Conchas.

2 The dispute in reference to Article IV and what  
3 it means arose about a year and a half after this  
4 litigation originally began. Initially Texas and Oklahoma  
5 had sued the State of New Mexico, claiming that the size  
6 of Ute Reservoir, which was enlarged in 1984, violated  
7 Article IV(b) and Article II(d) of the compact. The  
8 Master has resolved that issue in favor of New Mexico.

9 Article IV(a) and (b) became a major focus after  
10 Conchas began to spill in the spring of 1987. It was the  
11 first major spill of the Conchas Dam since approximately  
12 1941-42, which of course predates the compact. Being a  
13 spill, the water flowed over Conchas and downstream into  
14 Ute Reservoir, and approximately 100,000 acre-feet of that  
15 water, about 40 percent of the spill, was either released  
16 or went ahead and spilled into Texas, presumably most of  
17 it flowing on to Lake Meredith.

18 New Mexico caught about 60 percent of those  
19 Conchas spill waters at the Ute Reservoir, and it did not  
20 count those spill waters against its 200,000 acre-foot  
21 limitation on below Conchas waters, and the reason was  
22 because the spill came from waters originating above  
23 Conchas, and under Article IV(a) there was no restriction  
24 on how much water New Mexico got to store if it originated  
25 above Conchas.

1                   QUESTION: I suppose there wouldn't have been  
2 any argument on this point if instead of building Ute  
3 Reservoir you had enlarged Conchas Dam?

4                   MS. MATTHEWS: That's precisely right, Your  
5 Honor.

6                   QUESTION: And you could have caught the  
7 floodwaters in that dam and nobody could have hardly  
8 disputed your right to do that.

9                   MS. MATTHEWS: That's right. And in fact the  
10 parties and the Master in his report agree that there is  
11 absolutely no restriction on how big New Mexico could  
12 build Ute, I'm sorry, Conchas Dam and capture every drop  
13 of water that might ever be generated in the upper basin.  
14 So the issue became whether or not it makes a difference  
15 whether or not New Mexico chose instead of enlarging  
16 Conchas to enlarge Ute, and does it make a difference now  
17 that we catch the water of the spills downstream versus  
18 upstream behind the Conchas Dam.

19                   The result of catching the spills in the Ute  
20 Reservoir in 1987 was that as a result Ute Reservoir  
21 exceeded 200,000 acre-feet of storage. And in December of  
22 1988 Texas and Oklahoma filed a supplemental complaint  
23 claiming that New Mexico was in violation of Article  
24 IV(b)'s storage limitation because we had more than, at  
25 least they claimed we had more than 200,000 acre-feet of

1 water in Ute Reservoir.

2 QUESTION: But your, you claim that limitation  
3 only applies to water originating below Conchas; is that  
4 it?

5 MS. MATTHEWS: That's right, Your Honor. That  
6 is precisely our point. The Master agreed with Texas and  
7 Oklahoma, and he decided in effect that if New Mexico  
8 chose to store its water originating above Conchas, if New  
9 Mexico chose to store that in the downstream reservoir at  
10 Ute, then that water became subject to the 200,000  
11 acre-foot limitation on water originating below Conchas.  
12 It became subject to Article IV(b) of the compact.

13 QUESTION: Ms. Matthews, is it practicable to  
14 measure how much of the water in the Ute Reservoir is from  
15 upper, above Conchas drainage and how much below?

16 MS. MATTHEWS: Yes, Your Honor. The focus is  
17 not on the molecules of water. Obviously there is no  
18 particular distinction in the quality of the water above  
19 and below Conchas. But from an engineering standpoint,  
20 using a doctrine called the doctrine of exchange, which I  
21 am not an engineer and I cannot explain in any detail to  
22 the Court, but using that doctrine you analogize the water  
23 to blocks of water, and it's done in other compacts; the  
24 Pecos Compact, the Rio Grande Compact, and so forth. And  
25 so it's possible, using gauges and engineering



1 calculations and computer models and so forth to determine  
2 with some accuracy how much of the water in Ute originated  
3 above Conchas.

4 QUESTION: Now that was one of the reasons,  
5 though, that the Master construed the compact the way he  
6 did, as I understand, was the difficulty of measuring the  
7 amount of water stored below Conchas that had originated  
8 above Conchas.

9 MS. MATTHEWS: The Master had concern about  
10 whether the alleged difficulty would be in conflict with  
11 the goal of the negotiators to have a simple compact. But  
12 we would suggest first of all that there is no evidentiary  
13 showing at this point in this case that it is a difficult  
14 calculation. My understanding from our engineers is that  
15 it is not a difficult calculation. Secondly, Article  
16 V(c), which relates to Texas' rights relative to Oklahoma,  
17 clearly requires a fairly complex calculation not unlike  
18 that which would be required to determine above Conchas  
19 water from below Conchas water.

20 Third, I think that it would not be a good test  
21 of contract interpretation to decide that because it  
22 requires some engineering calculations that we're going to  
23 change and rewrite the meaning of the compact between  
24 three sovereign states; certainly not without, not with  
25 the evidentiary basis that's in the record at this point

1 in this case.

2 QUESTION: Could I ask, is there any major  
3 concern here or any question about water originating above  
4 Conchas that is delivered directly to the Ute without  
5 going through Conchas Dam? Are there some tributaries of  
6 the Canadian that originate above Conchas and flow into  
7 the Canadian below Conchas?

8 MS. MATTHEWS: I understand the question, Your  
9 Honor, and in fact the geography here is very interesting  
10 and it supports the upper and lower basin distinction.

11 QUESTION: Well, is there, but is that -- the  
12 same argument, I suppose, would apply to those, the water  
13 arriving in the Canadian below Conchas if it originated  
14 above.

15 MS. MATTHEWS: Well, in fact there are no  
16 tributaries above Conchas that flow into Ute. The  
17 tributaries above Conchas flow into Conchas or the  
18 Canadian River above Conchas.

19 QUESTION: Oh, so there are no, no tributaries  
20 of the Canadian that originate above Conchas that flow  
21 into the Canadian below Conchas?

22 MS. MATTHEWS: That's my understanding of the  
23 geography. There is a map attached to the Master's  
24 Report, the appendix, and it's not -- it's a little  
25 difficult to read.

1 QUESTION: What do you mean above Conchas, by  
2 the way? In that particular watershed?

3 MS. MATTHEWS: Yes. The watershed essentially  
4 fairly well geographically breaks into three parts. There  
5 is above Conchas. Conchas is fed by tributaries above  
6 Conchas and the Canadian River that flows above Conchas.

7 QUESTION: Um hum.

8 MS. MATTHEWS: And then you go downstream to  
9 Ute, and Ute is fed by Pajarito Creek and Ute Creek, both  
10 of which come in below Conchas.

11 QUESTION: But they flow into the river, do  
12 they?

13 MS. MATTHEWS: Yes. They flow either --

14 QUESTION: They don't flow directly into the  
15 reservoir?

16 MS. MATTHEWS: No. Ute Creek, I think, flows  
17 about 3 miles from where the reservoir actually starts.  
18 But they do flow in below Conchas.

19 QUESTION: And their headlands are below Conchas  
20 as well?

21 MS. MATTHEWS: Yes. They're in the lower basin.  
22 And then in that lower basin there are a number of other  
23 creeks and waterways, most of which, or a number of which  
24 rise in New Mexico, which flow then into Lake Meredith in  
25 Texas.

1 QUESTION: When you say the lower basin you mean  
2 below Ute?

3 MS. MATTHEWS: Below Conchas.

4 QUESTION: Below Conchas?

5 MS. MATTHEWS: Yes.

6 QUESTION: So there are some tributaries that  
7 arise below Conchas but above Ute that are not dammed up  
8 by Ute, that flow into the river below Ute?

9 QUESTION: No.

10 MS. MATTHEWS: I'm not sure that that's  
11 accurate. There are tributaries which arise below Conchas  
12 which flow into Ute.

13 QUESTION: Yeah.

14 MS. MATTHEWS: There are additional tributaries  
15 in the lower basin which do not flow into Ute, which  
16 flow --

17 QUESTION: What do you mean by the lower basin?

18 MS. MATTHEWS: Below Conchas. I'm sorry.

19 QUESTION: Okay, well then go ahead and finish  
20 your statement.

21 MS. MATTHEWS: Okay. There are certain  
22 tributaries which flow into Ute. And then also in the  
23 lower basin there are tributaries which, some of which  
24 flow into the Canadian River below Ute and then on to Lake  
25 Meredith, some of which rise in other parts of the state,

1 flow into Texas and eventually flow into Meredith, either  
2 hooking up with the Canadian River or other tributaries.

3 QUESTION: Right. So the only water you're  
4 talking about is the overflow from Conchas in flood times?

5 MS. MATTHEWS: As a practical matter. We're  
6 talking about the spills from Conchas Dam that arise above  
7 Conchas Dam. It is New Mexico's position in this  
8 litigation that its storage rights of, and its use rights  
9 of above Conchas water are unrestricted as to either  
10 quantity or location for three reasons. First, because  
11 that's what the unambiguous language of the compact says.  
12 Secondly, that language is consistent with the geography  
13 of the area, as we have just discussed, and with the  
14 historical context of the compact. And third, any other  
15 conclusion simply leads us to some very illogical results.

16 I'd like to look first at the question of  
17 language. As I indicated, under IV(a) there is no  
18 restriction of any kind on New Mexico's rights to water  
19 originating above Conchas. And as I indicated in answer  
20 to Justice White's question a minute ago, New Mexico can,  
21 and the parties and the Special Master all acknowledge  
22 that New Mexico can make Conchas as big as it wants to  
23 make it. So there is clearly no restriction.

24 QUESTION: There was originally a contention by  
25 Texas and New Mexico, was there not, that the 200,000

1 limitation applied to the structure and not to the amount  
2 of water?

3 MS. MATTHEWS: Texas and Oklahoma originally  
4 sued New Mexico claiming that the fact that New Mexico had  
5 enlarged Ute to be, to have a gross physical capacity of  
6 more than 200,000 acre-feet was in itself a violation of  
7 the compact regardless of how much water we actually  
8 stored in it.

9 QUESTION: Yes.

10 MS. MATTHEWS: Now that was resolved against  
11 Texas and Oklahoma by the Special Master, and my  
12 understanding today is that only Oklahoma still maintains  
13 that position and took exception to that part of the  
14 report.

15 QUESTION: But Oklahoma has accepted?

16 MS. MATTHEWS: Yes, Oklahoma has. Under IV(b)  
17 of the compact, again as I indicated, there is no  
18 restriction on the use of the waters below Conchas, but  
19 there is this 200,000 acre-foot limitation of the storage  
20 of the waters originating below Conchas. And that is  
21 specifically what the language of IV(b) says.

22 From the standpoint of contract law, from the  
23 standpoint of statutory interpretation, because we are  
24 here dealing with both a contract and an act of Congress,  
25 I would suggest to you that the language of IV(a) and

1 IV(b) has to control unless it is somehow ambiguous. The  
2 report found that there is ambiguity in part because it  
3 was unclear what the rights were between New Mexico and  
4 Colorado under IV(a). We question whether there is a true  
5 ambiguity in reading IV(a) that way, but even if it is,  
6 Colorado is not a party to the compact. Colorado is not a  
7 party to this litigation. It is at best an irrelevant  
8 ambiguity.

9 And I would suggest to the Court that it would  
10 be very bad precedent to rewrite compacts between  
11 sovereign states based upon alleged ambiguities which are  
12 unrelated to the dispute that has arisen among the  
13 parties. The fact is that there is no ambiguity in the  
14 language of IV(a) and IV(b), and no one has suggested a  
15 reasonable alternative meaning to the plain language of  
16 those, of that portion of the compact.

17 As I indicated, we feel that the distinction  
18 between Article IV(a) and IV(b), and I by shorthand refer  
19 to it as upper basin and lower basin, has a geographical,  
20 has a geographical basis, and that the basins fall, in the  
21 way they are fed and the way the dams are fed, makes sense  
22 within the context of the compact and gives meaning to the  
23 distinction made between IV(a) and IV(b). We have talked  
24 about that some.

25 I'd like to move now to the question of the

1 historical context of this compact and how it is  
2 consistent also with New Mexico's reading of IV(a) and  
3 IV(b).

4 In 1950 Texas wanted Federal money to build the  
5 Sanford Project, which later became Lake Meredith. New  
6 Mexico had some powerful Senators at the time, Senator  
7 Anderson and Senator Javis, and they said no, Texas, we're  
8 not going to permit you to get the funding for the Sanford  
9 Project until and unless there is a compact which protects  
10 the rights of New Mexico in the waters of the Canadian  
11 River basin. And in fact the legislation which authorized  
12 Sanford Project was contingent upon approval of the  
13 compact being negotiated and being approved by Congress.

14 The parties sat down in 1950 over roughly a  
15 6-month period, and they negotiated the Canadian River  
16 Basin Compact. As a result of those negotiations New  
17 Mexico received two things. New Mexico received the right  
18 to all the waters above Conchas without restriction. Now  
19 I think it's important to understand here that prior to  
20 that time the waters above Conchas had been fully  
21 developed, so that New Mexico, under equitable doctrines  
22 of water law, was entitled to those rights anyway. And  
23 the only issue above Conchas was the spill water. But as  
24 part of the negotiating process Texas and Oklahoma agreed,  
25 New Mexico, you will have all the waters above Conchas.



1           And then the second thing that New Mexico  
2 received was protection for its as yet undeveloped rights  
3 below Conchas up to the 200,000 acre-feet. This was  
4 important because at that time, though there were a few  
5 uses, below Conchas waters had really not been  
6 appropriated by anyone. And if Texas built Lake Meredith  
7 and there had not been a compact, what eventually would  
8 have happened is that Texas, under the doctrine of  
9 priority, or prior appropriation, would end up with the  
10 waters of the lower basin. New Mexico, of course, would  
11 have had the upper waters, the waters above Conchas,  
12 because it had already established rights in those.

13           And so what the parties did was agree that New  
14 Mexico could have up to 200,000 acre-feet of those waters  
15 which originate below Conchas so that in the future at  
16 some time it could do the development that it wanted to.

17           ~~MS. MATTHEWS:~~ <sup>QUESTION</sup> So you in effect said we don't  
18 anticipate any need for more water below Conchas than  
19 200,000 acre-feet. That was your best estimate of what  
20 your needs would be in the future?

21           MS. MATTHEWS: That was at the time of, for  
22 below Conchas, yes.

23           QUESTION: Well, is there any possible way that  
24 you could use 250,000 acre-feet then?

25           MS. MATTHEWS: Well, yes, Your Honor. As a

1 practical matter what is happening out in that part of the  
2 country is the Ogallala aquifer --

3 QUESTION: It's drying up.

4 MS. MATTHEWS: It's drying up. And the  
5 predictions made in 1950, that's over 41 years ago, and  
6 there is potentially some very serious water problems out  
7 there.

8 QUESTION: So you could use the, if there is  
9 floodwater that you're going to store in Ute beyond  
10 200,000 feet, you can use it?

11 MS. MATTHEWS: Yes. We believe that we can. I  
12 don't want to mislead the Court. We are not now at this  
13 point using that in our communities in that area. We have  
14 plans to do so. We have, projections are being made,  
15 option contracts have been signed. It is not now being  
16 used, and I don't want to leave that impression with the  
17 Court. As a result of the compact, New Mexico got --

18 QUESTION: Well, you know, if you don't use it  
19 sooner or later it will evaporate.

20 MS. MATTHEWS: Well, that's true, Your Honor.  
21 New Mexico got the right to all the waters above Conchas  
22 and we got the 200,000 acre-feet below Conchas. Texas  
23 received \$90 million in funding for the Sanford Project.  
24 It received all waters of the lower basin except the  
25 200,000 acre-feet, and subject to the restrictions that it

1 has in terms of Oklahoma. And that is and was a  
2 significant amount of water, and that does provide the  
3 water, a substantial portion of the water that supplies  
4 Lake Meredith.

5 Articles IV(a) and IV(5), we submit, simply  
6 represent the deal that was made by three sovereign states  
7 in Santa Fe, New Mexico on December 6, 1950.

8 The last thing I'd like to talk about  
9 briefly --

10 QUESTION: Excuse me, before you get off that,  
11 it really doesn't say that, though. It doesn't say that  
12 you can, that you can use 200,000 acre-feet from the lower  
13 basin. It says, to the contrary, that the amount of  
14 conservation storage available for impounding the waters  
15 from that basin, the amount of storage available for  
16 impounding those waters shall not exceed 200,000. Isn't  
17 that a quite different thing?

18 MS. MATTHEWS: I don't believe it's a different  
19 thing than saying --

20 QUESTION: I mean if they said you can use  
21 200,000 feet, that would be different. And they could  
22 have said that, but they didn't.

23 MS. MATTHEWS: I'm not sure we disagree. I read  
24 this to say that we can store 200,000 acre-feet.

25 QUESTION: No, it doesn't say you can store it.

1 It said the amount of conservation storage in New Mexico  
2 available for impounding these waters shall be limited to  
3 an aggregate of 200,000 acre-feet. So if you have a  
4 storage facility that could impound more than that, even  
5 if you're only taking 200,000 acre-feet from that basin,  
6 you'd be in violation of that provision if the storage  
7 facility were too large.

8 MS. MATTHEWS: Well, this is the point that  
9 Oklahoma --

10 QUESTION: Right.

11 MS. MATTHEWS: -- and Texas had raised. I see.

12 QUESTION: You don't want to get to that now?  
13 Are you going to get to that later?

14 MS. MATTHEWS: No, I'd be happy to get to that  
15 now. I think that the, if you read the entire compact and  
16 you read all the articles of the compact it becomes clear  
17 that what that language is talking about there is the  
18 portion of the reservoir that is available for this  
19 storage. The definition of conservation storage includes  
20 a number of uses, some of which are not subject to the  
21 200,000 storage limitation. So clearly it was anticipated  
22 that the reservoirs would be larger than 200,000  
23 acre-feet. It's also just physically impossible to build  
24 a reservoir at a precise acre-footage.

25 QUESTION: You're a strict constructionist as to

1 the first clause of Part (b) and a liberal constructionist  
2 as to the second clause.

3 MS. MATTHEWS: Well, I don't like to look at it  
4 that way, Your Honor.

5 (Laughter.)

6 MS. MATTHEWS: I think --

7 QUESTION: Well, so is the Special Master.

8 QUESTION: Except in reverse.

9 QUESTION: Vice-versa.

10 MS. MATTHEWS: Except in reverse. Except what  
11 the Special Master did, and it did seem like an  
12 appropriate analysis, he looked at the four corners of the  
13 compact. In reading the four corners of the compact he  
14 determined that it, clearly the storage limitation related  
15 to quantities of water, not sizes of reservoirs. I think  
16 that's a somewhat different thing than what he did in  
17 rewriting IV(a), which was go outside the four corners of  
18 the document and use other sorts of sources and other  
19 sorts of concerns in order to reach the conclusion that he  
20 wanted to reach.

21 QUESTION: Well, if we were to say that the,  
22 section (b) applies to the capacity, to the size of the  
23 reservoir, could you come back and say well, we are still  
24 entitled to have an unlimited reservoir for waters that  
25 originate above the dam?

1 MS. MATTHEWS: Yes.

2 QUESTION: And it's -- and would you then  
3 further say it's impossible to say which is which, or --

4 MS. MATTHEWS: No. Well, we think it's real  
5 possible under the doctrine of exchange.

6 QUESTION: Not which water is which, but which  
7 reservoir is which.

8 MS. MATTHEWS: Oh, you're asking if we build a  
9 second reservoir?

10 QUESTION: Yes. How could --

11 QUESTION: You want to say yes to that, I think.

12 MS. MATTHEWS: I think I do, too. Yes. I think  
13 I would still maintain that position.

14 QUESTION: Does that make sense from a  
15 standpoint of water engineering and water law to say that  
16 one reservoir is for lower Conchas waters and the other is  
17 for upper Conchas waters?

18 MS. MATTHEWS: Well, from an economic and a  
19 practical standpoint it does not make sense, and that's  
20 part of the problem here, is it doesn't make any  
21 difference to the downstream --

22 QUESTION: But then it doesn't, it doesn't hurt  
23 you. If you say that they're indistinguishable, then you  
24 can build as big a reservoir as you want and say oh, well,  
25 this is for upper Conchas water.

1 MS. MATTHEWS: Well, in effect that's what the  
2 Master said. We could make Conchas or anything above  
3 Conchas as big as we wanted, but once it passed over the  
4 dam --

5 QUESTION: No, no. I'm talking about the  
6 reservoir below Conchas.

7 MS. MATTHEWS: I guess I'm not following your  
8 question, Your Honor.

9 QUESTION: Well, we're talking about whether or  
10 not capacity as opposed to actual water stored is the  
11 correct interpretation.

12 MS. MATTHEWS: Right.

13 QUESTION: And I'm asking you to assume that we  
14 say that it's capacity. I'm then asking how that could be  
15 interpreted since you can come back and say well, we're  
16 keeping this capacity for upper Conchas water which is  
17 ours. I mean, can you make that argument?

18 MS. MATTHEWS: I don't understand that to be the  
19 argument that Texas and Oklahoma made. Conceivably New  
20 Mexico could make that argument, sure. We could build the  
21 dam downriver from Conchas and say we're not going to put  
22 any below water in it except spills that originate above.  
23 We could conceivably make that argument under the compact,  
24 yes. But as a practical matter, I mean, that's just  
25 economic insanity. We can't build dams to hold spills

1 which occur once every 40 years.

2 QUESTION: Well, but that's all you're entitled  
3 to under the contract.

4 MS. MATTHEWS: I'm sorry?

5 QUESTION: That's all you're entitled to, is the  
6 waters that originate above the Conchas, other than for  
7 the 200,000 feet you're given below it.

8 MS. MATTHEWS: Well, if you read the, if you  
9 read that as a restriction on the size of the reservoir  
10 that would be correct. But we would think that's an  
11 incorrect reading of that provision, that that refers to  
12 the portion of capacity of the reservoir, not the finite  
13 capacity of the reservoir.

14 QUESTION: It wouldn't serve any purpose, then.  
15 I mean, I read that provision as -- of course the object  
16 was to limit you to 200,000 acre-feet. That was surely  
17 the object. But the way of arriving at that object is to  
18 say look, we're not going to measure all the acre-feet.  
19 How do you measure them? There's no way possible to  
20 measure them all. Well, one clear way to keep you honest  
21 is to say you can't build a reservoir any bigger than  
22 that, period.

23 MS. MATTHEWS: Well, Your Honor, the difficulty  
24 with that is the size of reservoirs changes all the time  
25 because of sediment, and that would put us in the position



1 of every time there's a foot of sediment added to the  
2 floor of the reservoir of having to go out with our brick  
3 and mortar and add a foot of capacity above it. That's  
4 not how dams are built. At least it's not how they're  
5 built in New Mexico.

6 QUESTION: Can you just raise the spillway in a  
7 case like that? Build a great big reservoir and just keep  
8 the spillway at the appropriate level, and as it silts up  
9 you raise the spillway up.

10 MS. MATTHEWS: That's a very expensive project.  
11 It cost us \$14 million to increase the size of Ute in  
12 1984. I mean, that's a very expensive project. I don't  
13 believe that's what they intended. That's not the way  
14 dams are built. They're built with the idea that sediment  
15 will fill them, and that the capacity has to be large  
16 enough to take care of that use.

17 My time is up. Thank you.

18 QUESTION: Thank you, Ms. Matthews. Mr.  
19 Elliott, we'll hear now from you.

20 ORAL ARGUMENT OF PAUL ELLIOTT  
21 ON BEHALF OF THE PLAINTIFF TEXAS

22 MR. ELLIOTT: Mr. Chief Justice, and may it  
23 please the Court:

24 Texas and Oklahoma are asking this Court to  
25 adopt Part VII of the Special Master's recommendation on

1 the above Conchas water issue. In our view the  
2 interpretation that New Mexico is making of the compact  
3 would destroy the equitable apportionment that the states  
4 bargained for and is provided by the language of the  
5 compact. In 1987 in response --

6 QUESTION: Does the compact recite that they  
7 intend to equitably apportion the waters?

8 MR. ELLIOTT: No, it does not. It's in the  
9 congressional statute.

10 QUESTION: Because that's sort of a term of art  
11 in original cases, isn't it?

12 MR. ELLIOTT: Yes, sir, it is. In this case  
13 Congress said that when it ratified the compact, but it's  
14 not in the words of the compact itself. In 1987 in  
15 response to this lawsuit for the first time New Mexico  
16 interpreted the word originating in Article IV(b)  
17 differently and in direct conflict with the way that it  
18 was interpreting the same term in Article IV(a).

19 And I'd like to mention in terms of the lawsuit  
20 I disagree with, respectfully, with my opposing counsel.  
21 This lawsuit was not filed over the capacity versus water  
22 and storage issue, but was filed because New Mexico was  
23 claiming that it could store unlimited amounts of water  
24 for recreational purposes, and was in fact attempting to  
25 exempt part of the storage of Ute Reservoir for that

1 recreation purpose. This is set out in the Special  
2 Master's Report on pages 18 to 22.

3 New Mexico interpreted Article IV(a) in a  
4 totally ambiguous and, inherently ambiguous and  
5 unnecessarily ambiguous way from the way it interpreted  
6 Article IV(b). Article IV(a) sets out New Mexico's  
7 entitlement above Conchas Dam. New Mexico says that the  
8 word originating as it appears in Article IV(a) does not  
9 mean just arising. It includes -- it does not just mean  
10 waters arising in New Mexico, but includes waters that  
11 enter into New Mexico from tributaries in Colorado.

12 QUESTION: I thought their position on that part  
13 was more that the compact just wasn't, made no attempt to  
14 apportion anything to Colorado, that was to go to, ought  
15 to go to Colorado. It's just like a three-party lawsuit  
16 that you're carving up something, there's a fourth party,  
17 you can't bind the rights of the fourth party.

18 MR. ELLIOTT: Well, that's actually, Mr. Chief  
19 Justice, a different interpretation. The compact just  
20 says waters originating above Conchas Dam. And under that  
21 interpretation it could be argued that New Mexico had a  
22 claim then to waters in Colorado, since they do in fact  
23 originate above Conchas. That would be perhaps the most  
24 literal interpretation. New Mexico says that that's not  
25 correct because the compact gives them waters above

1 Conchas Dam in New Mexico. But if you look at waters  
2 originating in New Mexico, they would not include, under  
3 an arising interpretation of the word originating, they  
4 would not include those waters that enter into the state  
5 from above.

6 That is the same interpretation that we -- we  
7 have no problem with that interpretation. We think that's  
8 correct. We think that the way the term is used in the  
9 compact, that originating means waters not only arising  
10 but also entering into that portion of the basin. We only  
11 ask that that same identical interpretation also be  
12 applied to the term originating in Article IV(b).

13 QUESTION: So you say the, you say the water,  
14 the overflow water from Conchas, you should say arises  
15 below Conchas?

16 MR. ELLIOTT: Once it -- yes, sir. Once it  
17 enters into the basin, and, below Conchas, we believe --

18 QUESTION: Although it came from above, it  
19 arises below?

20 MR. ELLIOTT: Once it enters into the basin,  
21 that's correct. And I would point out that there is  
22 seepage from Conchas every year, in a normal year several  
23 thousand acre-feet. That water has always been considered  
24 as waters originating below Conchas. It is true that the  
25 1987 spill was the largest spill since 1942, but I would

1 point out that there have been several significant spills  
2 since '42, as much as 129,000 acre-feet in 1944, spills in  
3 '48, '58, '61, '65. These were significant spills of tens  
4 of thousands of acre-feet in each of those years that were  
5 all accounted and all considered to be waters originating  
6 below Conchas Dam.

7 The other waters that originate below Conchas  
8 Dam are the return flows from the Tucumcari Project. This  
9 is a large irrigation project that diverts water from  
10 behind Conchas Dam and brings it down in a channel, and  
11 then uses flood irrigation to irrigate roughly 30,000  
12 acres each year. A large percentage of that water, and  
13 the exact amount is not known, runs off and returns into  
14 the Canadian River below Conchas Dam. And again, under  
15 New Mexico's theory, that water would have to be  
16 considered theirs, their exclusive property. They have  
17 never considered it so. It has always been considered as  
18 waters originating below Conchas, and Texas has been able  
19 to use those waters as well as the other releases and  
20 spills and seepages from Conchas Dam that New Mexico is  
21 now claiming an exclusive right to.

22 QUESTION: Tucumcari is located in what's called  
23 the lower basin, below Conchas?

24 MR. ELLIOTT: That's correct. It's actually  
25 near Ute Reservoir.

1           QUESTION: Under your theory it has nothing to  
2 do with, the compact has nothing to do with what water you  
3 get to use, but simply with where you ought to build your  
4 reservoirs.

5           MR. ELLIOTT: That's correct, Your Honor. We  
6 believe that originating means simply entering, as  
7 understood by the people that wrote it and as, as  
8 contained within the context of the compact.

9           QUESTION: So that New Mexico doesn't have to  
10 let anything enter the lower basin?

11          MR. ELLIOTT: If New Mexico could enlarge  
12 Conchas Dam, which they looked into and found it was  
13 economically infeasible, that is correct, as Justice White  
14 asked earlier. They could in fact retain those waters.

15          QUESTION: The waters arising above.

16          MR. ELLIOTT: The waters at Conchas Dam, yes,  
17 sir. They could retain those. The compact negotiators  
18 decided that there was no need to place a limit on the  
19 waters of Conchas Dam on additional storage of those  
20 waters above Conchas Dam because they had all been  
21 developed for this Tucumcari Project.

22          QUESTION: And floods were rare.

23          MR. ELLIOTT: The floods were infrequent. When  
24 the compact negotiators allocated the waters among the  
25 states they relied exclusively upon the technical studies

1 of their engineer advisors. The engineer advisors, in  
2 arriving at the 200,000 acre-foot limitation on waters  
3 below Conchas, did not distinguish in any way as to the  
4 source of the waters. They routed all waters, the spills,  
5 the seepages, the return flows, everything was routed to  
6 Texas in excess of the 200,000 acre-feet below Conchas.

7 And the Bureau of Reclamation, which built,  
8 which planned and constructed the Sanford Project, which  
9 is now called Lake Meredith, did exactly the same thing.  
10 They routed as waters available for that project all  
11 waters in excess of 200,000 acre-feet below Conchas. And  
12 as I was saying that there were spills during the time  
13 that the Bureau and the engineer advisors were reviewing  
14 the floods, there were releases, there were seepages every  
15 year, and substantial amounts of return flow from the  
16 Tucumcari Project.

17 All of those waters were treated as exactly the  
18 same. Once they entered into the basin, into the  
19 watershed below Conchas, they fell within the Article  
20 II(b) restriction.

21 QUESTION: So what, what percentage of the  
22 water, of the storage in, what is it, Lake Meredith?

23 MR. ELLIOTT: Lake Meredith is in Texas.

24 QUESTION: What percentage of storage there  
25 comes from the Canadian, or comes from New Mexico, put it

1 that way?

2 MR. ELLIOTT: Roughly half.

3 QUESTION: Roughly half.

4 MR. ELLIOTT: Right.

5 QUESTION: And of course there are a lot of  
6 other, a lot of tributaries flow into the Canadian below  
7 Ute.

8 MR. ELLIOTT: There are some fairly major  
9 tributaries that come in below Ute. One of those contains  
10 a lot of that Tucumcari return flow. A lot of the waters  
11 that are in that tributary are the return flows from the  
12 Tucumcari Project.

13 QUESTION: What other water besides the Canadian  
14 services Meredith?

15 MR. ELLIOTT: Just the Canadian and tributaries  
16 that are below the project, below Ute and below the Texas  
17 state line.

18 QUESTION: There are no, no other streams that  
19 flow directly into Meredith?

20 MR. ELLIOTT: That's correct. Just the Canadian  
21 River.

22 QUESTION: What's the capacity of Meredith?

23 MR. ELLIOTT: The capacity is 1,400,000. It  
24 currently has about 300,000 acre-feet in it. It's never  
25 filled. There has never been enough water to supply the



1 demand for it. The authority that operates it for the 11  
2 cities that take water from the lake typically can only  
3 allocate about 80 percent of the request because of the  
4 lack of water. The water --

5 QUESTION: What city in Texas is the furthest  
6 away from the dam of the 11 cities that get the water, in  
7 order of magnitude? Does it go down to Lubbock?

8 MR. ELLIOTT: Lubbock is one of the first  
9 cities. The largest cities are Lubbock and Amarillo and  
10 Plainview. There are some smaller cities --

11 QUESTION: So you're talking about a couple  
12 hundred miles possibly?

13 MR. ELLIOTT: They are serviced off a canal  
14 primarily that runs from Lake Meredith, it goes south and  
15 then services several cities as it moves south. As I  
16 said, the largest users are, are Lubbock and Amarillo.  
17 Lubbock relies almost, well, predominantly upon the  
18 Canadian River. Most of the cities have had to go to some  
19 kind of supplemental source, in this case the Ogallala  
20 aquifer, although there are still a couple of towns or  
21 cities that rely almost, or exclusively upon the Canadian  
22 River.

23 And of course that's part of the problem, is  
24 that New Mexico is retaining this water in storage now.  
25 They are not using it. They hope to be able to use it

1 someday for this water supply project that they have had  
2 on the drawing board since 1972. It's no closer to being  
3 a reality now than it was 10, 15 years ago. And it is  
4 definitely, Texas is definitely being harmed. These  
5 cities have almost half a million people in them, and --

6 QUESTION: But you don't question their right to  
7 keep 200,000 acre-feet there, do you?

8 MR. ELLIOTT: Absolutely not. They can use the  
9 spills --

10 QUESTION: You're just, you're mostly fighting  
11 over floodwaters?

12 MR. ELLIOTT: The floodwaters in '87 triggered  
13 this, and of course --

14 QUESTION: Well, floodwaters which I guess you  
15 concede they could capture at least at Conchas if they  
16 increase that capacity?

17 MR. ELLIOTT: That's correct, they could.

18 QUESTION: So New Mexico has the right and the  
19 means ultimately to keep all that water.

20 MR. ELLIOTT: Well, as a practical matter they  
21 found that they can't do it. It's not feasible. The  
22 flood flows are not frequent enough and in large enough  
23 magnitude to make it economically feasible, so they have  
24 rejected that idea. They have the legal right to do it.

25 QUESTION: Right. But they have the legal right

1 to do just that.

2 MR. ELLIOTT: That's correct, Your Honor.

3 QUESTION: And I take it from the earlier part  
4 of your argument that the Sanford Reservoir, the Sanford  
5 Project was planned without regard to floodwaters?

6 MR. ELLIOTT: No. The Sanford Project relied  
7 upon all waters, including floodwaters, in excess of the  
8 200,000 acre-feet of conservation storage below Conchas.  
9 They routed all waters to Texas for the project and they  
10 were available for the project.

11 QUESTION: Does the history show that in  
12 planning for the feasibility of the project they depended  
13 on floodwaters?

14 MR. ELLIOTT: They used the floodwaters. The  
15 only thing they didn't do was -- the reservoir is operated  
16 as what is called a firm yield, meaning what it will  
17 supply in the very driest year of record. So they  
18 actually obtained funding based on that year, and of  
19 course there were no spills in that year, although there  
20 would still be return flows from the Tucumcari Project  
21 that would have been entering Texas and would have been  
22 used, available to the project.

23 Our point here is simply that it's not just the  
24 spills, it's not just the major infrequent spills. It is  
25 a constant supply of water to Texas every year. New

1 Mexico has developed accounting procedures for this above  
2 Conchas water that is stored in Ute that magnifies the  
3 exemption and at this point, under their accounting  
4 procedure, which is hydrologically impossible, they have  
5 determined that only 8 percent of the amount of water that  
6 is stored in Ute Reservoir is actually subject to the  
7 200,000 acre-foot limitation. It would create an enormous  
8 hardship on Texas if New Mexico were then to start  
9 accounting for the Tucumcari return flows which they would  
10 have the legal right to do, if they could start accounting  
11 for the seepage --

12 QUESTION: Have they made any claim in this case  
13 that they are entitled to those waters?

14 MR. ELLIOTT: They have claimed that they are  
15 entitled to them. The waters have never been measured,  
16 and as a practical matter it would be very difficult to  
17 measure. And I can --

18 QUESTION: It would cost them more than it's  
19 worth?

20 MR. ELLIOTT: Well, and there would certainly be  
21 a battle over it because it would be extremely subjective.

22 QUESTION: So as a practical matter we're just  
23 talking about the floodwaters?

24 MR. ELLIOTT: That's what this fight is over.  
25 But it has the legal ramifications far beyond that, far

1 beyond it, including the, just the several thousand  
2 acre-feet that seep from Conchas Dam every year into the  
3 lower basin. It's, the accounting procedures are -- I  
4 again have to disagree with my opposing counsel. We  
5 believe they're very complex. They're set out in the  
6 Master, in the agreed facts at B-38, and I would invite  
7 the Court's appearance to look at that. But they include  
8 things like having to make determinations on evaporation,  
9 on seepages, on diversions, on return flows. We feel like  
10 those accounting procedures for water stored below Conchas  
11 would be extremely complex, and there would be much  
12 controversy and disagreement among the states over the  
13 results of those procedures.

14 And this is certainly not what was intended by  
15 the compact. The compact was said to be, by the people  
16 who wrote it, to be virtually self-executing and require  
17 minimal administration. This would require in effect  
18 the --

19 QUESTION: You don't say there's any, it's  
20 really very -- that it's impractical, is it, to measure  
21 the floodwaters, the amount of water that flows over the  
22 Conchas Dam? They know all that.

23 MR. ELLIOTT: In 1972 the gauge below Conchas  
24 was discontinued, and there really is not any flow  
25 measurement of the water below Conchas.

1 QUESTION: Well, I know there may not be, but it  
2 wouldn't be easy to, it wouldn't be hard to have it.

3 MR. ELLIOTT: There could be a new gauge  
4 installed, I suppose.

5 QUESTION: Well, yeah, and it wouldn't cost a  
6 fortune either, would it?

7 MR. ELLIOTT: The gauge itself would not, no.

8 QUESTION: Yeah, all right.

9 MR. ELLIOTT: But there would still have to be  
10 an accounting for what happened to that water after it  
11 passed the gauge --

12 QUESTION: That's right.

13 MR. ELLIOTT: -- in terms of losses and  
14 evaporation. It's the, it would amount to the kind of  
15 flow accounting that the people who wrote this compact  
16 thought they had avoided by this capacity or this water,  
17 even waters and storage limitation.

18 QUESTION: How many miles does the river travel  
19 between Conchas and Ute, approximately?

20 MR. ELLIOTT: In river miles --

21 QUESTION: River miles.

22 MR. ELLIOTT: River miles? I know it's over  
23 100, but I'm just not sure how far it is. And I may be  
24 way off on that. The point on the accounting is that we  
25 would then be getting into flow accounting. We would be

1 getting into measurements of water with gauges and getting  
2 into arguments over losses. This is the kind of flow  
3 accounting that New Mexico and Texas have been battling  
4 over for years on the Pecos River Compact, and it's  
5 exactly what the writers of this compact tried to avoid.  
6 And indeed we think it's important that that be avoided.

7           It's -- New Mexico bargained for protection for  
8 all its waters above Conchas, and primarily the Tucumcari  
9 Project and an additional 200,000 acre-feet of  
10 conservation storage below Conchas. We're satisfied with  
11 that bargain, and until 1987 New Mexico was too. What  
12 they're asking for now would in effect be an unlimited  
13 allocation. The 200,000 acre-foot limitation would be  
14 replaced by one that would have no limit. It would just  
15 be a matter of every year trying to account for all these  
16 different waters from the Tucumcari Project and from  
17 Conchas that are in the basin below Conchas, and then  
18 adding to that 200,000 acre-feet.

19           We feel like, that it was extremely important to  
20 Texas. We did not, as was implied, bargain away in order  
21 to get Sanford Dam our ability to obtain flows from New  
22 Mexico since, as I said earlier, about half the flows that  
23 are entering, that we get into Lake Meredith are from New  
24 Mexico. It was critical to Texas that there be a defined  
25 limitation on New Mexico's right to construct storage and

1 impound waters below Conchas. That was critical. That  
2 was the one thing we could never have bargained away.

3 QUESTION: Mr. Elliott, how do you respond to  
4 the argument that you can't really compute a capacity that  
5 precisely, 200,000, and also that it keeps changing as it  
6 silts up, and it's so exorbitantly expensive to increase  
7 the capacity that it's not reasonable to think that that's  
8 what they bargained about?

9 MR. ELLIOTT: We believe that there are some  
10 practical implications of limiting the, their right to a  
11 capacity limitation. We think that some of that can be  
12 overcome by creative means. You can build a reservoir  
13 that is far in excess and then you would have a sediment  
14 reserve pool, for instance, that you could use to collect  
15 sediment. Again on the capacity issue, it was Texas' view  
16 that unlike the Conchas issue it did not destroy the  
17 equitable apportionment that was set out in the compact  
18 and clearly intended, clearly intended by the negotiators.

19 But we do agree that a plain reading of Article  
20 IV and Article II(d) do, does say that it's a capacity  
21 limitation.

22 QUESTION: Thank you, Mr. Elliott. Mr. Lay,  
23 we'll hear now from you.

24 ORAL ARGUMENT OF R. THOMAS LAY

25 ON BEHALF OF THE PLAINTIFF OKLAHOMA



1 MR. LAY: Mr. Chief Justice, and may it please  
2 the Court:

3 Oklahoma concurs with the exceptions which have  
4 been taken and advanced by the State of Texas, and we are  
5 here to raise the additional exception relating to Section  
6 VI of the Special Master's Report wherein the Special  
7 Master recommends that this Court interpret Article IV(b)  
8 of the compact to impose a water in storage or quantity of  
9 water limitation on New Mexico, as opposed to a capacity  
10 limitation. Article IV(b) of the compact clearly states  
11 that it is a limitation upon the amount of conservation  
12 storage available for impounding. Any question about that  
13 referring to capacity and sounding in capacity is resolved  
14 by Article II(d) of the compact which defines conservation  
15 storage. It defines conservation storage as that portion  
16 of the, that portion of reservoir capacity available for  
17 the storage of water.

18 One thing I do wish to stress, I take --

19 QUESTION: It doesn't use the word capacity.  
20 II(d), the term conservation means that portion of the  
21 capacity of reservoirs. You say it's just like it said  
22 reservoir's capacity.

23 MR. LAY: Yes, Your Honor. In my description I  
24 turned those two words around. One thing I do wish to  
25 stress, which I had the impression my honorable opposing

1 counsel was urging this morning, is that Oklahoma is  
2 trying to convince this Court that under the capacity  
3 limitation there is a total limit on the total size of  
4 reservoirs that can be built in New Mexico. That is not  
5 the position we have urged before the Special Master, nor  
6 the position that we urge here. The only limitation,  
7 capacity limitation which Oklahoma is talking about is  
8 that that pertains to conservation storage. Above and  
9 beyond that capacity there may be in the same reservoir  
10 multipurposes, there may be navigation storage, there may  
11 be sediment control storage.

12 QUESTION: Wouldn't that present some very  
13 difficult problems of measurement, if you have all those  
14 different kinds of storage behind the same dam  
15 undifferentiated?

16 MR. LAY: Mr. Chief Justice, as we understand  
17 it, it's not, and again it gets into an engineering  
18 question. As we understand it the capacities that are  
19 allocated to reservoirs are basically established when the  
20 reservoir is planned and designed. That is to say it will  
21 be designed to have a useful life over a given period of  
22 time, 50 to 100 years, and in that process it will have  
23 allocated between certain elevations water for  
24 conservation purposes, water for flood control, water for  
25 other purposes. That kind of data is fixed, I think, in

1 the planning and design process of the reservoir.

2 QUESTION: But Oklahoma's position is not that  
3 its interpretation limits the size of the structure?

4 MR. LAY: Not the total size of the structure.  
5 Just that portion, Mr. Chief Justice, that relates to  
6 conservation storage.

7 QUESTION: But can you say that any one part of  
8 a structure like a dam relates to conservation storage?

9 MR. LAY: I think we can, Your Honor, and I  
10 think as developed in this case, the way it turns out is  
11 that at Ute Reservoir at a given elevation you have outlet  
12 works. Below that you have dead storage and above that  
13 you have some quantity of water to the top of the lake.  
14 Within that quantity there is a limit of 200,000 acre-feet  
15 for conservation storage, and then there is water above  
16 that. On inquiry we can ask New Mexico what is this  
17 additional storage used for. We hear things like a desilt  
18 pool. We hear things like recreation storage, and things  
19 of that nature.

20 QUESTION: Well, do you determine it by the  
21 actual use that is made from time to time, or the intent  
22 with which it was stored, or the design, the design  
23 features of the dam, or all three?

24 MR. LAY: Justice Kennedy, I think all three may  
25 come into play. I think you are certainly correct in

1 saying that it is the actual use. We think it's not  
2 necessarily what New Mexico may choose to call it, but the  
3 actual use to which it is placing the waters. It will  
4 also be in the design criteria of the reservoir where  
5 these elevations are, where these different capacities are  
6 allocated. All those --

7 QUESTION: Do you cite some treatises or some  
8 history where the term conservation storage is contrasted  
9 and compared with other types of storage?

10 MR. LAY: Your Honor, off the top of my tongue I  
11 cannot cite you a treatise. We did have in the record  
12 admitted certain treatises on sediment control and  
13 multipurpose design criteria where it did talk about how  
14 reservoirs are allocated certain different types of  
15 storages if it is a multipurpose reservoir. Now, if you  
16 were a sole purpose conservation storage reservoir,  
17 obviously it would be limited to 200,000 acre-feet  
18 capacity under our reading of Article IV(b).

19 QUESTION: How do you administer it on your  
20 theory? Let's assume New Mexico has decided to use the  
21 gross storage capacity of the Ute Dam by storing, in  
22 addition to its 200,000 acre-feet, an X-hundred thousand  
23 acre-feet for recreational purposes. Do you in effect  
24 take that as some kind of a benchmark so that when the,  
25 when there is a spillover that comes down the river you in

1 effect say you've got to let it all spill out at the other  
2 end? Is it as simple as that on your theory?

3 MR. LAY: I think under our theory, Justice  
4 Souter, it is simply that once New Mexico has conservation  
5 storage capacity in excess of 200,000 acre-feet, that  
6 capacity, that excess water that might be represented in  
7 that excess capacity is required to be released to the  
8 downstream states, because the extent of the stream flow  
9 depletion by New Mexico was clearly intended to be limited  
10 to 200,000 acre-feet.

11 QUESTION: You might let them -- I take it on  
12 your theory it would be, it would be consistent with the  
13 compact if they in good faith said well, we want to raise  
14 the water level three more feet for recreational purposes.  
15 You'd say that's all right. But you'd say once that is  
16 done, once you get to whatever the bonafide recreational  
17 level capacity is, anything more that flows into that dam  
18 has got to flow out of that dam?

19 MR. LAY: That is our theory. That's correct,  
20 Your Honor.

21 QUESTION: Okay.

22 MR. LAY: And to clarify, we certainly --

23 QUESTION: What if they say okay, we're going to  
24 add on another four feet for recreation? But there is no  
25 limit on how much they can add for recreational capacity,

1 right?

2 MR. LAY: Justice Scalia, we have interpreted  
3 recreation use as being a consumptive use under the  
4 compact. The Special Master has in effect ruled that  
5 recreation is chargeable against conservation storage  
6 where it is held in place and kept within the state and  
7 not released. We concur with that theory, and my reason  
8 for pointing that out is if you had 200,000 acre-feet of  
9 conservation storage, 100,000 acre-feet on top of that for  
10 recreation, you've got 300,000 acre-feet of conservation  
11 storage under our reading of the compact.

12 QUESTION: So you, so there's no such thing as  
13 conservation storage, then, you're saying, right? Or  
14 recreation storage? It's just recreation use. There's no  
15 such thing as recreation storage.

16 MR. LAY: That would be a correct analogy with  
17 what I'm saying, Your Honor. That's correct. It would be  
18 a recreation use of the water, although New Mexico has  
19 tried to advance the theory that it's recreation storage  
20 and not conservation storage. That has been rejected by  
21 the Special Master.

22 QUESTION: Well then let me go back to my  
23 question, because I don't think I understood, or I may  
24 have misled you. I take it your answer now is that  
25 regardless of what they may do with or for recreational

1 purposes in the Ute Reservoir, they can only store 200,000  
2 acre-feet in the Ute Reservoir. And anything that spills  
3 in to raise the level above that has got to spill back  
4 out?

5 MR. LAY: If I may clarify, Your Honor, they can  
6 only store 200,000 acre-feet for what are deemed to be  
7 conservation storage uses and purposes.

8 QUESTION: But I thought your answer to Justice  
9 Scalia's question was that they couldn't store anything  
10 more for any other purpose. They could use their  
11 conservation water for recreation, but they couldn't  
12 increase their storage for recreational purposes.

13 MR. LAY: They could not increase their storage  
14 capacity for conservation purposes. But in addition to  
15 that they may have temporary storage for flood control,  
16 for example, that is not conservation storage. They may  
17 have temporary storage for navigation, which is not  
18 conservation storage. They may have temporary storage for  
19 some type of hydropower that would not be a conservation  
20 storage. And the reason they're not conservation storage  
21 is that typically those waters will ultimately be released  
22 from the reservoir to the downstream states, given the  
23 very nature of their uses.

24 The conservation storage uses,  
25 municipal-industrial water supply, we have included

1 recreation, irrigation, those types of uses are  
2 conservation storage uses which come out of the  
3 conservation storage capacity section of the reservoir.

4 QUESTION: Well, I suspect that sediment control  
5 requires that the water sit there and not be released, and  
6 that's another exception to the storage.

7 MR. LAY: That is correct, Justice O'Connor.  
8 Sediment control storage is not part of conservation  
9 storage --

10 QUESTION: Right.

11 MR. LAY: -- and not chargeable against the  
12 200,000.

13 QUESTION: And not chargeable against the  
14 200,000. And in that instance it isn't released, it's  
15 kept so that the silt can settle out.

16 MR. LAY: That is correct, Your Honor. That is  
17 correct.

18 QUESTION: Would it be too crude to say, then,  
19 to summarize what you have said, that the capacity can be  
20 increased for any purpose which is realized ultimately by  
21 releasing the water over the dam?

22 MR. LAY: I think that is consistent with the  
23 theory, Justice Souter.

24 QUESTION: Okay.

25 MR. LAY: Again, I would want to articulate and



1 qualify it. Any additional capacity can exist which does  
2 not constitute conservation storage capacity, or  
3 nonconservation uses. There can be additional capacities  
4 to that.

5 QUESTION: Each of those capacities, as I  
6 understand you to have described them, requires by its  
7 very nature the release of the water?

8 MR. LAY: That is correct, Your Honor.

9 QUESTION: Well, you just said sediment control  
10 did not require release. And surely it doesn't.

11 MR. LAY: Your Honor, that -- may I finish my  
12 answer, Your Honor?

13 QUESTION: Yes, you may.

14 MR. LAY: Yes, Your Honor, that is correct. And  
15 my choice of words was not articulate at that point.  
16 Sediment control is special in that it's unused water.  
17 It's not released, but it is unused but necessary for  
18 sediment deposition.

19 QUESTION: Thank you, Mr. Lay.

20 MR. LAY: Thank you, Your Honor.

21 CHIEF JUSTICE REHNQUIST: The case is submitted.

22 (Whereupon, at 1:59 p.m., the case in the  
23 above-entitled matter was submitted.)

24  
25

## CERTIFICATION

*Alderson Reporting Company, Inc., hereby certifies that the attached pages represents an accurate transcription of electronic sound recording of the oral argument before the Supreme Court of The United States in the Matter of: 109 Original*

OKLAHOMA AND TEXAS, Petitioner v. NEW MEXICO

---

---

*and that these attached pages constitutes the original transcript of the proceedings for the records of the court.*

BY *Raymond H. Hartzel*  
(REPORTER)

RECEIVED  
SUPREME COURT, U.S.  
MARSHAL'S OFFICE

91 APR 25 AM 0:20